

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF CALIFORNIA
HON. OLIVER W. WANGER, JUDGE

NATURAL RESOURCES DEFENSE)
COUNCIL, et al.,)
)
Plaintiffs,)
)
vs.)
)
DIRK KEMPTHORNE, Secretary,)
U.S. Department of the Interior,)
et al.)
)
Defendants.)
_____)

No. 05-CV-1207-OWW
HEARING RE INTERIM REMEDIES
DAY 5

Fresno, California

Wednesday, August 29, 2007

REPORTER'S TRANSCRIPT OF PROCEEDINGS

Volume 5, Pages 831 through 1105, inclusive

Reported by: KAREN LOPEZ, Official Court Reporter

APPEARANCES OF COUNSEL:

For the Plaintiffs:

Earthjustice Legal Defense Fund
BY: TRENT W. ORR
426 17th Street
Fifth Floor
Oakland, CA 94612

Natural Resources Defense Council
BY: MICHAEL E. WALL
and KATHERINE POOLE
and SELENA KYLE
and ANJALI JAISWAL
111 Sutter Street
20th Floor
San Francisco, CA 94104

For the Federal
Defendants:

U. S. Department of Justice
BY: JAMES MAYSONETT
Wildlife & Marine Resources
P. O. Box 7369
Washington, D. C. 20044-7369

For the California Dept.
Of Water Resources:

State of California
Attorney General's Office
BY: CLIFFORD LEE
455 Golden Gate Avenue
Suite 11000
San Francisco, CA 94102-7004

State of California
Attorney General's Office
BY: DEBORAH WORDHAM
1300 I Street
Suite 1101
Sacramento, CA 94244-2550

For California
Farm Bureau:

Gibson, Dunn & Crutcher
BY: CHRISTOPHER H. BUCKLEY, JR.
1050 Connecticut Avenue Northwest
Suite 900
Washington, D. C. 20036-5303

CALIFORNIA FARM
BUREAU FEDERATION
BY: CHRISTIAN SCHEURING
2300 River Plaza Drive
Sacramento, CA 95833

APPEARANCES OF COUNSEL: (Cont' d)

For State Water
Contractors:

Best, Best & Krieger
BY: GREGORY K. WILKINSON
and STEVEN M. ANDERSON
3750 University Avenue
Suite 400
Riverside, CA 92501

Metropolitan Water District of
Southern California
BY: LINUS MASOUREDIS
1121 L Street
Suite 900
Sacramento, CA 95814-3974

For San Luis & Delta-
Mendota Water Authority
and Westlands Water
District:

Kronick, Moskovitz,
Tiedemann & Girard
BY: DANIEL J. O'HANLON
400 Capitol Mall
27th Floor
Sacramento, CA 95814-4416

DiEpenbrock Harrison
BY: JON D. RUBIN
400 Capitol Mall
Suite 1800
Sacramento, CA 95814

For Glenn-Colusa
Irrigation District:

Somach, Simmons & Dunn
BY: JACQUELINE L. McDONALD
813 Sixth Street
Third Floor
Sacramento, CA 95814-2403

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

STATE WATER CONTRACTORS' WITNESSES:

CHARLES HOWARD HANSON	862
DIRECT EXAMINATION BY MR. WILKINSON	863
CROSS-EXAMINATION BY MR. WALL	1033

EXHIBITS

PLAINTIFFS'

Marked

15	1039
16	1052
17	1072
18	1088
19	1093

DEFENDANTS'

SWC F	871
SWC G	872
SWC H	890
SWC I	904
SWC J	912
SWC K	920
SWC L	933
SWC M	945
SWC N	963
SWC O	971
SWC P	992
SWC Q	1000
SWC R	1006
SWC S	1009
SWC T	1015
SWC U	1018
SWC V	1021

EXHIBITS

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PLAINTIFFS'

15
16

Received
1042
1102

DEFENDANTS'

SWC H
SWC I
SWC J
SWC F
SWC G
SWC K
SWC L
SWC M
SWC N
SWC O
SWC P
SWC E
SWC R
SWC S
SWC T and U
SWC V

904
911
917
917
918
932
939
962
969
973
994
999
1007
1011
1020
1033

1 Wednesday, August 29, 2007

Fresno, California

2 9:00 a.m.

3 THE COURT: We're going back on the record in NRDC
4 versus Kempthorne.

5 Has the government completed its evidence?

6 MR. MAYSONETT: Your Honor, just a couple of
7 housekeeping things.

8 Your Honor, we presented Ms. Goude last week, of
9 course. We have submitted some declarations by Mr. Milligan,
10 who is from the Bureau of Reclamation and our expert on what
11 I'll call the water costs issues.

12 My understanding of the process we're following is
13 that Mr. Milligan's declarations have been admitted into
14 evidence subject to the provision that the plaintiffs or the
15 parties have an opportunity to cross-examine Mr. Milligan.

16 We introduced two of Mr. Milligan's declarations into
17 evidence last week. And at this time, I want to proffer a
18 third which was submitted before the TRO proceedings and
19 addresses the minimum pumping levels and the windings of the
20 pumps and those issues, just for the completeness of the
21 record.

22 THE COURT: Okay. So we can all be consistent, give
23 us the numbers of the declarations that he has submitted that
24 you've offered in evidence and then this third one.

25 MR. MAYSONETT: Just a moment, Your Honor.

1 Your Honor, Federal Defendants' Exhibit 1 is the July
2 9th declaration of Ronald Milligan. Federal Defendants'
3 Exhibit 2 is the August 3rd declaration of Ronald Milligan.
4 Federal Defendants' Exhibit 3 was one of Ms. Goude's
5 declarations.

6 And the declaration we're proffering now, which will
7 be Federal Defendants' 4, is docket number 335 and it is the
8 May 31st, 2007 declaration of Ronald Milligan.

9 THE COURT: All right. Any objection to that
10 declaration subject to the conditions we've previously
11 established?

12 MS. POOLE: Good morning, Your Honor, Kate Poole for
13 the plaintiff. Will be joining these proceedings after a
14 short absence.

15 THE COURT: Good morning.

16 MS. POOLE: Your Honor, we do have some objections
17 both to this new declaration as well as the previous two
18 declarations that federal defendants have marked. We prepared
19 those in written form and I can file those this morning with
20 the Court.

21 THE COURT: All right.

22 MS. POOLE: And we have informed the federal
23 defendants that in the interest of time and moving these
24 proceedings along as expeditiously as possible, we have agreed
25 to waive cross-examination of Mr. Milligan.

1 THE COURT: All right. Thank you very much. As soon
2 as I receive the objections, I will rule on them. But I
3 haven't received them yet. So I'll reserve my rulings. Mr.
4 Wall.

5 MR. WALL: Yes, Your Honor. Thank you. That does
6 raise an issue with respect to timing, which we'd like to
7 discuss with the Court if we have an opportunity to do that.

8 THE COURT: Yes, we can do that. Let's see if Mr.
9 Maysonett has anything else on his case and then we'll take up
10 the issues of timing.

11 MR. WALL: Thank you, Your Honor.

12 MR. MAYSONETT: Your Honor, obviously I haven't seen
13 the plaintiffs' objections either so I can't respond to them.

14 We do submit that it will be appropriate to take
15 direct testimony from Mr. Milligan at some point if time
16 allows given the expedited nature of the proceedings and the
17 Court's previous rulings. So to the extent that's possible,
18 we think it would be appropriate for Mr. Milligan to testify
19 after Mr. Leahigh, who will address many of the similar
20 issues.

21 THE COURT: All right. I will permit you to reserve
22 calling Mr. Milligan. I understand you want the State to go
23 first with Mr. Leahigh.

24 MR. MAYSONETT: Yes, Your Honor.

25 THE COURT: All right. Anything further at this

1 time?

2 MR. MAYSONETT: No, Your Honor.

3 THE COURT: All right. Mr. Wall.

4 MR. WALL: Thank you, Your Honor. We understood the
5 Court to intend to conclude these proceedings this week.

6 And --

7 THE COURT: That is my hope.

8 MR. WALL: We're acutely aware of the timing in this
9 case. As the Court will recall, our expert's proposals for
10 remedies to address the ESA violations would begin in
11 September, at the beginning of September. And we hope to
12 avoid a situation where the passage of time renders that
13 proposal moot rather than a ruling of the Court.

14 We're also aware that counsel for the defendants or
15 defendant intervenors have indicated that they have scheduling
16 conflicts throughout almost the entire month of September.

17 And with that in mind, we're trying to figure out if
18 there's a way that we can come up with an orderly plan to
19 conclude these proceedings this week rather than having to
20 resume them perhaps a month from now, which would
21 significantly prejudice our client's interests.

22 THE COURT: I'm not inclined to continue these
23 proceedings. And so we're not going to wait a month. My
24 intent is to go through with the taking of evidence. And tell
25 me what you think that requires, and I'll hear from the other

1 parties to determine whether it's feasible for us to expect we
2 can finish this week.

3 MR. WALL: Your Honor, at this point we have
4 presented our case in chief. And our principle involvement at
5 this point would be through cross-examination of any witnesses
6 that the defendants or defendant intervenors called. It's
7 obviously a little difficult to predict exactly how long that
8 cross-examination will take because we don't know how
9 responsive the witnesses will be. But we certainly intend to
10 focus our cross-examination on the issues that would be of
11 most importance to the Court and move through it as
12 expeditiously as we can.

13 Should time permit, we would also appreciate the
14 opportunity to put on a very, very short, at least at this
15 point, rebuttal case on some of the issues that the defendants
16 might raise. And we have previously reserved --

17 THE COURT: You reserved that right. And time
18 permitting, we're going to do the best we can to get all the
19 evidence in in the time that has been prescribed.

20 MR. WALL: Your Honor, there's one other matter
21 related to schedule, which is my understanding that there's a
22 fisheries conference next week at which I expect all the
23 biologists in this case are going to be attending. And that
24 would make them unavailable next week.

25 THE COURT: Understood.

1 MR. WALL: Thank you, Your Honor.

2 MS. POOLE: Your Honor, may I clarify one issue?

3 THE COURT: Yes.

4 MS. POOLE: It was plaintiffs' understanding that
5 federal defendants were simply going to submit Mr. Milligan's
6 declarations into evidence and that they were not planning to
7 present direct testimony. If he is given the opportunity for
8 direct testimony, then we will need to rethink our decision
9 about cross-examination.

10 THE COURT: All right.

11 MS. POOLE: Thank you.

12 THE COURT: That certainly is something we can
13 revisit. I think that your description is accurate of what my
14 understanding has been. My sense of this is that the Court
15 has questions that relate to testimony that we've heard so far
16 from experts that I don't know if there's anybody besides Mr.
17 Milligan who can answer these questions.

18 And so depending upon what happens, the court may ask
19 for him to testify relative to some questions that have
20 arisen. But obviously, you will -- if that happens, everybody
21 will be able to respond to that, to request either another
22 witness or we need to do something else by way of
23 cross-examination or responding to it, you'd be given that
24 opportunity.

25 MS. POOLE: Thank you, Your Honor.

1 THE COURT: All right. Mr. Lee, anything changed on
2 your part?

3 MR. LEE: Your Honor, I'd like to ask the United
4 States a question because it might determine where we proceed
5 from our standpoint.

6 THE COURT: Yes.

7 MR. LEE: And that is, as I understand, they have
8 submitted their case in chief. That they may or may not do
9 cross-examination and that they may submit a rebuttal witness
10 at the end. I did not hear from them that they were planning
11 to proffer any of their declarations that they have not
12 otherwise introduced. I would just like confirmation from the
13 plaintiff that that's the case.

14 THE COURT: The plaintiff or the government?

15 MR. LEE: The plaintiffs.

16 MS. POOLE: Your Honor, we have just received, right
17 before this proceeding opened today, an additional set of
18 declarations that some of the defendant intervenors intend to
19 submit. And I'm not sure yet whether we have the full set.
20 I've only spoken to counsel for the State Water Contractors
21 and for Westlands. I have not yet had a chance to go through
22 these and see what's in there. And I need to -- I would like
23 to ask the Court for 24 hours to do that before we respond to
24 Mr. Lee's question.

25 MR. LEE: The question I had was whether any of their

1 existing current declarants that they have not offered up as
2 witnesses, whether they intend to proffer their declarations.

3 THE COURT: And I think, if I'm interpreting Ms.
4 Poole's answer correctly, she has said that they're going to
5 complete their review of the designations that intervenors
6 have made in this case and that the government has made and by
7 tomorrow we will know if there are going to be any. Is that
8 correct?

9 MS. POOLE: That's correct.

10 MR. LEE: All right.

11 THE COURT: All right.

12 MR. LEE: Subject to what the plaintiffs have to say
13 tomorrow with regard to declarants, we plan only a very
14 limited submittal of declarations, Your Honor. The Deputy
15 Director of the Department of Water Resources Jerry Johns
16 submitted two declarations in these proceedings; one dated
17 July 9th, 2007 and one dated August 3rd, 2007. The
18 declarations are, as they all are in this case, fairly
19 lengthy. In order to expedite this process, we've gone over
20 those declarations and we intend to only introduce them for a
21 limited purpose with a limited series of exhibits, which I
22 would like to describe right now.

23 With regard to the July 9th, 2007 declaration of
24 Jerry Johns, it would be our intention to introduce that
25 declaration for the following paragraphs and exhibits.

1 Paragraph 1 through 4, which lay the foundation for Mr. Johns
2 background. Paragraphs 32, 33 and 34 and Exhibits B and C.
3 Those would be the only purposes for which we would be
4 proffering the July 9th, 2007 declaration of Jerry Johns.

5 THE COURT: And do you have the document number for
6 the docket?

7 MR. LEE: I do, Your Honor. The July, 2007 -- July
8 9th, 2007 declaration of Jerry Johns is document No. 399.

9 THE COURT: Thank you.

10 MR. LEE: The second declaration by Jerry Johns that
11 we wish to introduce is document Number 432 and that is the
12 August 3rd, 2007 declaration. We only intend, again, to
13 expedite this process, to introduce that declaration for
14 purposes of paragraph 11 and paragraph 12.

15 So again, just for clarity, we intend to introduce
16 portions of the July 9th, 2007 declaration, paragraphs 1
17 through 4, paragraphs 32, 33 and 34 and Exhibits B and C. On
18 the August 3rd, 2007 declaration, we only intend to introduce
19 that declaration for purposes of paragraphs 11 and 12.

20 THE COURT: Thank you.

21 MR. LEE: Your Honor, depending upon what Ms. Pooler
22 says in terms of the declarations she intends to introduce, it
23 is possible that we may introduce one other declaration. But
24 I would like to wait until tomorrow to hear what Ms. Pooler has
25 to say before we make that decision.

1 THE COURT: Understood.

2 MR. LEE: One other -- one other matter, Your Honor.
3 If we could get a determination as soon as possible whether
4 plaintiffs intend to cross-examine Mr. Johns, that would be
5 important. He is a Deputy Director of the Department of Water
6 Resources, very difficult to schedule him for these matters
7 and we would need to know as soon as possible.

8 THE COURT: Yes. What I understood Ms. Poole to say
9 and Mr. Wall was that until they see your additional
10 designations, if any, their present plan -- that was before
11 you identified these paragraphs from Mr. Johns' declarations,
12 they were not planning on cross-examining. But that may
13 change tomorrow.

14 MR. LEE: Any clarification of the plaintiffs would
15 be of assistance on this point.

16 MS. POOLE: That's correct, Your Honor. We are -- we
17 were understanding that we were going to proceed on the oral
18 testimony with the addition of these new declarations, we need
19 to have a chance to review those to decide what our response
20 will be. Of course, we, you know, would certainly prefer, as
21 Mr. Wall explained, that these proceedings are able to wrap up
22 this week and we will be conducting that review with that hope
23 in mind. If the proceedings go on longer than that, then our
24 position may change.

25 THE COURT: All right. Thank you.

1 Thank you, Mr. Lee.

2 Mr. Wilkinson.

3 MR. WILKINSON: Yes, Your Honor. We were mindful of
4 the Court's concerns about trying to winnow down the
5 additional declarations that would be proffered. We have done
6 that, we believe. We have three declarations that we have
7 provided to the plaintiffs and counsel for the other parties.
8 One is the declaration of G. F. Duerig, it's docket number
9 451. It's the declaration of August 13th.

10 THE COURT: That last name was?

11 MR. WILKINSON: D-U-E-R-I-G. She is the general
12 manager of the Alameda County Flood Control & Water
13 Conservation District Zone 7. Again, that's docket number
14 451.

15 A second declaration is that of Joan Maher,
16 M-A-H-E-R. It is docket number 455. It is also a declaration
17 that was filed on August 13th. Mr. Maher is a manager with
18 the Santa Clara Valley Water District and would talk about the
19 various impacts within Santa Clara that could occur under the
20 different matrices that have been discussed.

21 The final declaration, Your Honor, is the declaration
22 of David Fullerton. It is docket number 447. Also filed on
23 August 13th. Mr. Fullerton's declaration is a more technical
24 declaration and it responds to a number of points that were
25 made by Dr. Swanson. I'm not sure that the copy, though, that

1 was filed with the Court contained the attachments to it. I
2 have a second copy here that I would offer to the Court that
3 contains two articles that were intended to be attached
4 before. I don't know, frankly, whether those were attached to
5 the copy that came to the Court or not.

6 THE COURT: Has the declaration been submitted and
7 marked for identification or is that what you just handed me?

8 MR. WILKINSON: Your Honor, we haven't marked it yet.
9 Our intention is to have all three of the declarants here in
10 court on Friday.

11 THE COURT: All right.

12 MR. WILKINSON: And we would proffer the declarations
13 at that time. So it's not our intention to proffer it at this
14 point since they are not here.

15 THE COURT: All right. Well, as I'm looking at this
16 declaration, it has ten pages of testimony and then, although
17 they're not marked as exhibits, what appear to be --

18 MR. WILKINSON: Attachments to the declaration.

19 THE COURT: Attachments.

20 MR. WILKINSON: Yes, that's correct. And we weren't
21 sure whether the attachments arrived at the court with the
22 copy that was filed or not.

23 THE COURT: Well, we'll have to find out. But what
24 I'm going to propose that everybody do on these exhibits is
25 that, even if they're already on file, that you file, as the

1 marking as exhibits to go into evidence. Just as you've
2 handed me this, the physical exhibit. We're not going to
3 refer to documents that are in the docket and call those
4 exhibits.

5 MR. WILKINSON: We're happy to do that, Your Honor.
6 And it would be our intention to do so on Friday, when we have
7 the witnesses here.

8 THE COURT: I'm going to hand this back to the
9 courtroom deputy.

10 MR. WILKINSON: Your Honor, insofar as timing is
11 concerned. We also would be appreciative if we could finish
12 this proceeding this week. We have one witness and that's Dr.
13 Charles Hanson who we'll put on today. It's our hope that we
14 could get Dr. Hanson on and off in a day. I think there are
15 two other witnesses who were designated as the original six.
16 And we've got three days of proceedings this week and it would
17 be my hope that we could get through those three witnesses
18 this week. And we intend to do everything we can to assist in
19 that.

20 THE COURT: Thank you very much. Mr. O'Hanlon.

21 MR. O'HANLON: Good morning, Your Honor. We have
22 four witnesses to offer by way of declaration and a total of
23 five, five declarations.

24 The first declaration was filed -- it's by James
25 Snow, it is document No. 410. It was filed on July 23rd.

1 The second declarati on by James Snow is document No.
2 462 filed August 13th, 2007. Mr. Snow essentially takes the
3 analyses done by Mr. Leahigh and translates that analyses into
4 water shortages for Central Valley Project contractors south
5 of the Del ta.

6 The next declarant and the third declarati on is by
7 Russ Freeman, who is an employee of Westlands Water District.
8 This is document No. 459. It was filed August 13th, 2007.
9 Mr. Freeman then takes those reductions and deliveries and
10 translates them into physical impacts wi thin the Westlands
11 service area.

12 The third witness and the fourth declarati on is by
13 William Harrison. This is document No. 463. It was filed on
14 August 13th, 2007. Mr. Harrison is the manager of the Del
15 Puerto Water District and he identi fies the impacts, physical
16 impacts wi thin the Del Puerto Water District under the
17 shortages calculated under the various proposa ls.

18 The last declarati on is by Daniel Nelson. He's
19 executive director of San Luis and Del ta-Mendota Water
20 Authori ty. This is document No. 460. This was filed on
21 August 13th, 2007 and Mr. Nelson generally describes that
22 similar impacts would be felt throughout other portions of the
23 authori ty service area.

24 I have redacted -- taken these declarati ons and
25 redacted those portions that don't refer to either the water

1 supply shortages or physical impacts within the service area
2 and I did provide copies of those to Ms. Poole this morning.

3 Our intention would be to offer these on Friday and
4 have those witnesses available if the plaintiffs indicate they
5 would like to cross-examine these witnesses. And we would
6 offer them at that time.

7 As far as an estimate of time, Your Honor, we have
8 one witness who is identified to testify already in the
9 proceeding, that's Dr. William Miller. My anticipation is
10 that his direct exam would take about three hours. I don't
11 know how long the cross-examination will require.

12 And like Mr. Wilkinson, it is my hope that we will be
13 able to finish these proceedings on Friday.

14 THE COURT: All right. Mr. Buckley.

15 MR. BUCKLEY: Yes, Your Honor. The Farm Bureau does
16 not have any declarations to submit in addition to those that
17 are being submitted by other parties. However, I would like
18 to take this occasion to briefly mention that we -- although
19 we did defer opening, as Your Honor may recall, and we have
20 deferred cross-examining because my client and Mr. O'Hanlon's
21 clients, although not identical, share many interests.

22 THE COURT: Yes.

23 MR. BUCKLEY: We would like to reserve the right to
24 make a closing argument, albeit a brief one. I'm not sure
25 we'll have the time for that, but if we do, I would like to

1 reserve the right to do that.

2 THE COURT: All right. Thank you very much.

3 MR. LEE: Your Honor, Clifford Lee here. We failed
4 to note that we also have one witness for direct examination
5 that we've designated. We anticipate that witness will be
6 brought in on Friday. It is John Leahigh. We did designate
7 him in our August 16th designation. And we anticipate the
8 direct to be two hours.

9 THE COURT: All right. Thank you very much. Ms.
10 Jordan.

11 MS. McDONALD: Good morning, Your Honor, Jackie
12 McDonald.

13 THE COURT: Ms. McDonald. Excuse me.

14 MS. McDONALD: For defendant intervenors GCID, et al.
15 Based upon the previous ruling, we don't intend to proffer any
16 additional evidence or engage in cross-examination so long as
17 the ruling last week regarding the contracts is adhered to.

18 THE COURT: Thank you.

19 All right. Anything further then, Mr. Maysonett, for
20 the federal defendants?

21 MR. MAYSONETT: No, Your Honor. Mr. Milligan is in
22 the audience. My understanding is we are not going to be
23 conducting any cross on him at this time. So with your
24 permission, I'd just like to release him.

25 THE COURT: When would he return?

1 MR. MAYSONETT: He would return on Friday, Your
2 Honor, to answer any questions from the Court as necessary.

3 THE COURT: My sense is that that's getting awfully
4 ambitious. That, if I'm counting correctly, is about seven or
5 eight potential witnesses for Friday. And that is the day
6 that we wanted to hear arguments, if you're going to present
7 them, and to try to be in a position to make a ruling in the
8 case. And so that is, I think, overly ambitious. Would it be
9 possible to have Mr. Milligan here tomorrow, on Thursday?

10 MR. MAYSONETT: If I could just consult with Mr.
11 Milligan.

12 THE COURT: Yes.

13 MR. MAYSONETT: Your Honor, Mr. Milligan can be made
14 available tomorrow.

15 THE COURT: All right. Thank you very much. Then if
16 you wish to excuse him today, the plaintiffs have indicated
17 that they're not going to cross-examine him today. And so --

18 MR. MAYSONETT: Thank you, Your Honor.

19 THE COURT: Mr. Lee, are you ready to proceed?

20 MR. LEE: Your Honor, we had discussed Mr. Leahigh's
21 availability and I believe we mentioned he would not be
22 available until Friday --

23 THE COURT: Until Friday.

24 MR. LEE: -- this week. I understand that the State
25 Water Contractors are ready to go forward with Dr. Hanson.

1 THE COURT: All right. Well, then, Mr. Wilkinson,
2 you may proceed.

3 MR. WILKINSON: Thank you, Your Honor. Earlier, Your
4 Honor, we had reserved our opening statement and I'd like to
5 provide a brief opening statement at this time.

6 THE COURT: Yes, you may.

7 MR. WILKINSON: Your Honor, this case is about the
8 delta smelt. But, necessarily, is also about the 25 million
9 Californians who rely on the waters of the Delta, homes and
10 farms and at their places of business. To these people waters
11 of the Delta are in many, many ways their life's blood.

12 The cases require that the remedy fashioned by the
13 Court protect the delta smelt during the limited period before
14 consultation on a new Biological Opinion is completed. But
15 the cases also require the remedy be narrowly tailored.

16 And in addition, the cases provide that where there
17 is more than one remedy available, the agencies and, we
18 believe by extension, the Court has the discretion to adopt a
19 remedy that will protect the smelt with the least residual
20 damage to other competing interests. The cases also provide
21 that a remedy should not be adopted if it will impair public
22 health and safety.

23 The remedy that has been offered by the plaintiffs
24 will likely protect the delta smelt. But as the Fish &
25 Wildlife Service has already recognized and as Ms. Goude has

1 testified, it is overly protective. It is not narrowly
2 tailored as the cases require.

3 In some instances, such as Dr. Swanson's action
4 number ten, it proposes the release of hundreds of thousands
5 of acre feet of water based on an article whose author
6 expressly said that the extent to which the findings and
7 conclusions of that article could be used for management
8 purposes is unclear. And Dr. Swanson could not tell us the
9 increased smelt abundance that would result from her action
10 number ten and, as Ms. Goude described, the action, according
11 to the Fish & Wildlife Service, is unnecessary to protect the
12 smelt during the limited period before a new Biological
13 Opinion is adopted.

14 Similarly, Your Honor, plaintiffs' actions five and
15 seven are based upon the work of a scientist who has not made
16 his papers available for review by his peers. This science is
17 not publicly available as required by the Endangered Species
18 Act.

19 As applied by Dr. Swanson, her measures five and
20 seven would impose limitations on the projects that would
21 severely restrict their ability to provide exports to the 25
22 million people who depend on them at times when the projects
23 propose absolutely no threat to the delta smelt.

24 The action matrix provided by the Fish & Wildlife
25 Service is more narrowly tailored than the actions authored by

1 Dr. Swanson. It avoids the extreme measures proposed by the
2 plaintiffs and as described by Ms. Goude. It is based on
3 biology, not economic or other considerations.

4 The impact of Dr. Swanson's proposed actions would be
5 devastating to much of California. To meet the terms of her
6 actions, exports to the 25 million people in the state who
7 depend on the CVP and the State Water Project could be reduced
8 by up to nearly 60 percent. More if Dr. Swanson's action ten
9 is satisfied by further export reductions as the plaintiffs
10 have suggested. Doing so will raise serious issues of the
11 adequacy of water supplies for the human beings in the state
12 over the next year.

13 By comparison, the Fish & Wildlife Service action
14 matrix is more narrowly tailored, as I mentioned, in that it
15 would reduce SWP and CVP exports by only -- I use that term
16 advisedly -- by about two million acre feet over the next
17 year.

18 We asked Dr. Charles Hanson if he believed that the
19 Fish & Wildlife Service action matrix could be more narrowly
20 tailored than Fish & Wildlife Service had done and do so
21 without adversely affecting protections provided by that
22 matrix to the smelt.

23 Dr. Hanson is a well respected fisheries biologist
24 with more than 30 years of experience dealing with Delta
25 fisheries issues. Unlike Dr. Swanson, Dr. Hanson serves on

1 the delta smelt recovery team. Unlike Dr. Swanson, Dr. Hanson
2 served with Dr. Moyle on the initial Native Delta Fishes
3 Recovery Team. He serves on the current Native Delta Fishes
4 Recovery Team Today. And he also serves as well on the
5 National Marine Fisheries Service's Central Valley Salmonid
6 Recovery Team.

7 Because he has studied Delta fishery issues for years
8 and is well versed in the works of Dr. Bennett and others, Dr.
9 Hanson was able to undertake and complete the studies
10 necessary to develop a suite of measures that he believes will
11 provide protection to the delta smelt over the next year that
12 is equivalent to the protection afforded by the Fish &
13 Wildlife Services action matrix. And indeed to a significant
14 degree, Dr. Hanson's proposed actions are based upon the Fish
15 & Wildlife Service matrix.

16 The studies that are necessary to provide a
17 scientific basis for Dr. Hanson's measures are already done.
18 They use the same tools that are used by other scientists
19 involved in the Delta. If they are implemented, the measures
20 proposed by Dr. Hanson would also reduce combined CVP and SWP
21 exports over the next year by a very substantial manner.

22 Dr. Hanson's proposed measures will protect the delta
23 smelt. They begin a month earlier than the Fish & Wildlife
24 Service action matrix and they use the action matrix itself as
25 a protective layer. We believe they are more precisely

1 tailored than the action matrix of the service. Almost
2 certainly they will be less likely to raise health and safety
3 concerns.

4 Frankly, Your Honor, I believe all of us on the
5 defense side of this case recognize that we must take
6 extraordinary steps to protect the smelt from extinction
7 during the limited period before a new Biological Opinion is
8 adopted. We also recognize this is not a situation where
9 business as usual is going to be acceptable. We understand
10 that. But even the Ninth Circuit --

11 THE COURT: Yes. And I don't know whether it is
12 prudent to mention this at this time, but in reviewing,
13 without listening, to the testimony, but in reviewing Dr.
14 Hanson's analysis and approach, it does seem -- and the thing
15 that I also haven't heard from the bureau is that addressing
16 the serious decline in abundance and determining and actually
17 implementing measures to address that seems not to have been
18 done. And no explanation is offered for why it hasn't been
19 done.

20 And so I think we're at the point, so everybody knows
21 what I'm thinking, that we can't rely on the agency to do it.
22 They haven't done it. And we have a number of years of
23 so-called conditions that have led to what is now described as
24 critical.

25 I don't find, until you just told me this now, that

1 Dr. Hanson has ever even acknowledged that there is a critical
2 condition. And so he's not helping you in any way.

3 MR. WILKINSON: Well --

4 THE COURT: And so you need to get on the same page.

5 MR. WILKINSON: Sure.

6 THE COURT: Or I don't need to listen to it. Because
7 what I am focused on is the bureau is not saying anything
8 about what the difference is between what the scientists see
9 and say and then what the operators are doing in response and
10 what they think they need to do and what relative effects
11 there are going to be if they do it.

12 I know that sounds general, but yet, we can discuss
13 that in the terms of actual numbers and descriptions of
14 various measures, various causes for the shortage and then
15 this continuing, I'm going to call it, chronic uncertainty
16 about the population of the species.

17 But it appears to me that everybody's acknowledging
18 that we can nonetheless go ahead and analyze the case without
19 knowing the population of the species by doing comparative
20 analysis of year to year trends.

21 And so I'm willing to accept that as mathematically
22 reasonable, although it certainly is not the kind of certainty
23 that the Court would like to have or would like to see. But
24 I've heard, I think now, from almost every side that it just
25 can't be done. I'm not sure why it can't, but it can't.

1 MR. WILKINSON: We have a somewhat different view in
2 that regard, Your Honor. And I will sort of preview a little
3 bit of that. We believe that it's possible to develop what we
4 would call the order of magnitude abundance figure. I don't
5 think anybody is going to be able to tell you that we can come
6 up with an exact number of fish.

7 What we can do, we believe, using the tools that were
8 developed long before this case began, back in the late '90s
9 by Dr. Bennett, Dr. Hanson, Dr. Hymanson and others, we
10 believe we can come up with what is called an order of
11 magnitude level of abundance. We can tell whether the fish
12 are there in the tens or the hundreds or the thousands. How
13 many hundreds or how many thousands is a little more
14 difficult.

15 But I think, as you will hear from Dr. Hanson,
16 knowing the order of magnitude on this gives us some ability
17 to tailor measures accordingly. And I think you'll also find,
18 when Dr. Hanson testifies, that his tier one, tier two, tier
19 three measures are going to protect the smelt regardless of
20 the level of abundance because they tend to prevent take by
21 the projects. That's the purpose behind them.

22 That does not mean that the smelt will necessarily
23 continue on unmolested, so to speak, because there are simply
24 lots and lots of different threats to the smelt. But the
25 point here is that we are trying to develop measures that will

1 remove the projects as a cause of jeopardy of the smelt. And
2 we think we will be able to show that.

3 THE COURT: And you can address his, for instance,
4 1.8 July estimate because, as has been discussed, what I
5 understand is that that's when the year, if you will -- I
6 don't know what it's called. I heard the term "recruitment,"
7 but I don't know what the population of young smelt is, but
8 it's in ascendancy. And so we know from the scientists, and
9 there doesn't seem to be disagreement, that by the fall into
10 the winter before spawning, that that's going to materially,
11 materially decrease in terms of --

12 MR. WILKINSON: And it is.

13 THE COURT: -- of what survives.

14 MR. WILKINSON: And it is.

15 THE COURT: So that isn't a real helpful figure, it's
16 a misleading figure quite frankly.

17 MR. WILKINSON: We didn't intend it to be misleading.
18 What we intended was a snapshot in time, if you will.

19 THE COURT: The best case.

20 MR. WILKINSON: Not so much the best case, but what
21 we believe the abundance indices indicated at that point. And
22 then there were subsequent indices that were provided and they
23 indicated a different level of population and a lower one.
24 And so we can't tell you that the population was 1.8 and it
25 remains at 1.8 million today. I don't think anybody can tell

1 you that.

2 What we are able to do is get an order of magnitude
3 what we're dealing with. And at the time that the initial
4 declaration of Dr. Hanson was filed on July 23rd, his best
5 estimate was 1.8 million and we do intend to tell you how that
6 was arrived at.

7 And similarly, when the subsequent Summer Towner
8 Surveys have been performed, yes, the numbers have gone down
9 and we will be able to relate to you what the projects' impact
10 during those periods were. Quite small.

11 So there are other things going on in the Delta. And
12 we're not here to develop a recovery plan, we are not here to
13 develop a Biological Opinion. What we think we're here to do
14 is to develop a plan of operation that will apply during the
15 next year ordered by this Court to the projects to protect the
16 smelt from being jeopardized by the projects during that
17 period of time. I'd love to be able to tell this Court --

18 THE COURT: And the critical habitat.

19 MR. WILKINSON: -- we have a solution for everything,
20 but we don't. I'm sorry?

21 THE COURT: And the critical habitat.

22 MR. WILKINSON: And the critical habitat. That's
23 correct. That's correct.

24 THE COURT: All right.

25 MR. WILKINSON: We think, Your Honor, that the

1 DIRECT EXAMINATION

2 BY MR. WILKINSON:

3 Q. Dr. Hanson, would you please introduce yourself to the
4 Court by stating your name and your place of employment.5 A. Good morning. My name is Charles Hanson. I'm employed
6 with Hanson Environmental, Inc. located at 132 Cottage Lane,
7 Walnut Creek, California.8 Q. Thank you, Dr. Hanson. I'd like to have you begin by
9 providing an overview of your educational background, please.10 A. My education includes a bachelor of science degree from
11 the University of Washington College of Fisheries. A masters
12 degree in fishery science from the University of Washington
13 College of Fisheries. I studied environmental engineering at
14 John Hopkins University. And I have a Ph.D. in fisheries and
15 ecology from the University of California Davis.16 Q. Dr. Hanson, would you please describe your experience in
17 addressing fishery issues?18 A. I've been working on fishery issues specifically within
19 the Bay-Delta Estuary since 1976. I have over 30 years of
20 experience in working on various fisheries issues, including
21 the compilation and analysis of information collected by the
22 Department of Fish & Game, Fish & Wildlife Service, National
23 Marine Fisheries Service, DWR, the bureau, as well as
24 extensive involvement in my own fishery investigations on
25 behalf of various clients.

1 I have undertaken investigations that have led to the
2 preparation of biological assessments and have contributed to
3 Section 7 consultations. I have been extensively involved in
4 various proceedings dealing with fishery issues as they
5 pertain to project operations, upstream reservoir operations
6 as well as within Delta operations, as well as a variety of
7 other projects that have been undertaken within the Delta.

8 Q. Are you involved with the Delta Risk Management Strategies
9 project, Dr. Hanson?

10 A. Yes.

11 Q. What is that?

12 A. The Delta Risk Management Strategies are -- sometimes
13 referred to as DRMS, is a project that has been undertaken by
14 the Department of Water Resources to evaluate the potential
15 effects of the catastrophic levee failure on the habitat
16 conditions, the infrastructure, economics and other aspects
17 that would occur within the Delta in the event, for example,
18 of a large earthquake. I was responsible within the DRMS
19 project for directing the environmental assessment component
20 of that analysis.

21 Q. Did you also have any involvement with what is called the
22 Vernalis Adaptive Management Plan?

23 A. Yes. I was a co-author with Dr. Bruce Herbold from the
24 USEPA in developing what's referred to as VAMP. It's a
25 program that has two fundamental purposes. The first purpose

1 is to provide improved protection for juvenile Chinook salmon
2 who migrate through the Lower San Joaquin River through the
3 Delta.

4 And the second is provide a framework for scientific
5 investigations to further evaluate the potential influence of
6 the Head of Old River Barrier, the magnitude of flow within
7 the Lower San Joaquin River and combined state and federal
8 water project exports on the survival of juvenile Chinook
9 salmon as they migrate downstream through the Delta.

10 Q. Do you hold any professional certifications, Dr. Hanson?

11 A. I do. I'm certified as a professional fishery biologist
12 by the American Fishery Society.

13 Q. And have you had any experience dealing specifically with
14 Endangered Species Act issues in the Bay-Delta Estuary?

15 A. I have -- with a number of fish species that have been
16 recently listed for protection under both the California and
17 the Federal Endangered Species Act, I have been involved in
18 the compilation and analysis of data on the abundance and
19 geographic distribution of various species as they relate to
20 various project proposals, both existing and proposed.

21 I have also participated in the preparation of
22 biological assessments that outline and describe the potential
23 effects of various projects on listed species, including delta
24 smelt and salmonids. I participated in Section 7
25 consultations under the Endangered Species Act.

1 And I've also been responsible for preparation of
2 habitat conservation plans, including the preparation of an
3 HCP for steelhead within Arroyo Grande Creek in San Luis
4 Obispo County.

5 I'm responsible for the preparation of multi-species
6 Habitat Conservation Plan, including Chinook salmon and
7 steelhead within a program called the Fisheries & Aquatic
8 Habitat Collaborative Effort referred to as FAHCE, which
9 resolves water right settlement dispute with the Santa Clara
10 Valley Water District with respect to operations of upstream
11 reservoirs and releases on fisheries habitat within the
12 Guadalupe River, Coyote Creek and Stevens Creek, which are
13 tributary to the southern portion of San Francisco Bay.

14 Q. Are you also involved in something called BDCP, Dr.
15 Hanson?

16 A. I am. BDCP is the current Bay Delta Conservation Planning
17 effort. It's a collaborative effort that includes
18 participation by various water agencies, both state and
19 federal, it includes participation by state and federal
20 resource agencies as well as the environmental community.

21 And it's designed as a forum to be able to identify
22 appropriate conservation strategies, which may involve
23 consideration of both physical habitat as well as water
24 conveyance facilities within the Delta that would provide a
25 long-term plan for the protection and enhancement of habitat

1 conditions for a variety of aquatic resources, including delta
2 smelt, Sacramento split-tail, Chinook salmon, steelhead,
3 longfin smelt and others. I'm responsible for the aquatic
4 component of that plan.

5 Q. Do you participate on any technical advisory committee's
6 relating to fishery issues?

7 A. I do. I am a member of the current US Fish & Wildlife
8 Service Delta Smelt Recovery Team. I also served previously
9 on the US Fish & Wildlife Service Native Delta Fish Recovery
10 Team. I am a member of the National Marine Fisheries Service
11 Central Valley Salmonid Recovery team. I've also
12 participated, since its inception, on the Mokelumne River
13 Technical Advisory Committee, the American River Technical
14 Advisory Committee, the Santa Ynez Technical Advisory
15 Committee, the San Joaquin Technical Advisory Committee. And
16 I serve on a peer review panel dealing with the issues of the
17 effects of water temperatures on salmonids within the San
18 Joaquin River.

19 Q. As part of your professional activities, Dr. Hanson, have
20 you had any opportunities to present results of your research,
21 of your findings to your peers?

22 A. I have. Over the course of my career, I've made
23 presentations at a number of public forums and conferences and
24 workshops. Those include workshops that have been hosted by
25 the CALFED program. Workshops such as the annual Interagency

1 Ecological Program or IEP conference in Asilomar.

2 I've also participated in regional and national
3 conferences of various organizations, including the National
4 Marine Fisheries Service. In early September I'll be making a
5 presentation to the National Conference of the American
6 Fisheries Society with respect to the San Joaquin River
7 restoration issues.

8 Q. Have you prepared any peer review journal articles for
9 publication?

10 A. I have. I've published peer review journal articles in a
11 number of different publications, including the Transactions
12 of the American Fisheries Society, the Journal of Ecology, the
13 Journal of Wildlife Management, San Francisco Estuary &
14 Watershed Science and several others.

15 Q. Have you also designed or managed any fisheries sampling
16 programs within the Bay-Delta Estuary?

17 A. I have. I've been involved in conducting fishery sampling
18 programs since 1976, when I came to California to work with
19 the California Department of Fish & Game investigating
20 salmonids and other resident fish within the San Francisco
21 Bay-Delta Estuary as it related to evaluating potential
22 impacts of water project operations on those species.

23 I've also been responsible for conducting delta smelt
24 studies within Clifton Court Forebay as a comparative analysis
25 with similar sampling being conducted by the Department of

1 Water Resources within Old and Middle River. I've conducted
2 studies within Clifton Court Forebay on the vulnerability of
3 juvenile steelhead to predation mortality.

4 I was also involved with DWR on a study to
5 investigate changes in the geographic distribution of delta
6 smelt with respect to changes in SWP and CVP export
7 operations.

8 I conducted a study in 2006 that was a cooperative
9 study with the California Department of Fish & Game using
10 their 20 millimeter delta smelt survey techniques to allow us
11 to develop a comparison between the densities of delta smelt
12 in the main channel areas of Suisun Bay, where Fish & Game
13 typically samples, with our augmented sample in the shallow
14 water areas adjacent to the channel shores, specifically in
15 the vicinity of both the Pittsburg and Contra Costa Power
16 Plants to be able to provide some additional information on
17 the ability to extrapolate data from the Fish & Game 20
18 millimeter survey to the power plant intake structures.

19 I've also been involved in conducting the
20 entrainment, impingement and thermal effect studies,
21 investigating the potential impacts of power plant cooling
22 water system operations, specifically at Contra Costa and
23 Pittsburg Power Plants located in the Delta on a variety of
24 resident and migratory fish species, including striped bass,
25 which was our target species, but also giving consideration to

1 other resident and migratory fish, including Chinook salmon,
2 steelhead and delta smelt.

3 Q. Dr. Hanson, have you participated previously as an expert
4 witness or submitted expert declarations in any prior legal
5 proceedings?

6 A. I have. I participated as an expert witness on fisheries
7 issues and the effects of water temperature on various life
8 history stages of salmonids in the American River proceedings
9 with the East Bay Municipal Utility District in Sacramento
10 County.

11 I also have submitted and participated in
12 presentations to the State Water Resources Control Board
13 regarding a variety of water right related issues that pertain
14 to water project operations, water quality and their impacts
15 on resident and migratory fish within the Bay-Delta Estuary.

16 I have participated through providing declarations in
17 the CVPIA litigation. And I was designated as an expert
18 fishery witness with respect to the San Joaquin River Fishery
19 Restoration Program Downstream of Friant Dam in the NRDC
20 versus Friant litigation.

21 MR. WILKINSON: Your Honor, at this time I would move
22 that Dr. Charles Hanson be accepted as an expert witness on
23 the subject of fisheries biology.

24 THE COURT: Any objection?

25 MR. WALL: No objection, Your Honor.

1 THE COURT: The Court finds that Dr. Hanson is
2 qualified by education, experience and training to render
3 opinions in the field of fisheries biology.

4 MR. WILKINSON: Thank you, Your Honor.

5 Q. Dr. Hanson, I would ask you first, have you produced any
6 declarations in this case?

7 A. Yes, I have. I produced declarations dated July 23rd,
8 2007, which provided information and some of my views with
9 respect to the agency action matrix and some proposed
10 refinements to that matrix as well as the declaration dated
11 August 13th that provided additional updated information.

12 Q. I've handed you two documents, Dr. Hanson. Do you
13 recognize those?

14 A. Yes, I do.

15 Q. Looking at the first of those, is that -- we'll mark that
16 as State Water Contractors next in order, which I believe
17 would be Exhibit F.

18 THE CLERK: Correct.

19 (Defendants' Exhibit SWC F was marked for
20 identification.)

21 BY MR. WILKINSON:

22 Q. Is that your declaration, Dr. Hanson, of July 23rd, 2007?

23 A. Yes, it is.

24 Q. And the second document, which I would like to have marked
25 as State Water Contractors Exhibit G.

1 (Defendants' Exhibit SWC G was marked for
2 identification.)

3 BY MR. WILKINSON:

4 Q. Do you recognize that document, Dr. Hanson?

5 A. Yes, I do. That was my second declaration.

6 Q. That was produced and filed on August 13th, 2007?

7 A. Correct.

8 Q. Dr. Hanson, are you familiar with the delta smelt action
9 matrix developed --

10 THE COURT: Are you moving the introduction of these
11 exhibits at this time?

12 MR. WILKINSON: Not at this point, Your Honor. I
13 think I'll hold off on that until a bit later, if that's all
14 right. In fact, I was thinking in terms of moving this along
15 more quickly, would it be preferred that I hold these and move
16 them all in one go at the end or would you rather have it done
17 item by item?

18 THE COURT: For the Court, it's always better to do
19 it as they're presented unless there's some reason to wait.

20 MR. WILKINSON: All right. I'm going to hold these
21 just for a moment if that's all right.

22 THE COURT: You may.

23 BY MR. WILKINSON:

24 Q. Dr. Hanson, my question is: Are you familiar with the
25 delta smelt action matrix that was developed by the Fish &

1 Wildlife Service?

2 A. Yes, I am.

3 Q. Were you asked to undertake an evaluation of that matrix
4 in terms of whether operation of the state project and Central
5 Valley Project in accordance with the matrix would avoid
6 jeopardy to the continued existence of the delta smelt until a
7 new Biological Opinion is issued?

8 A. Yes. I was asked by the State Water Contractors to
9 perform that analysis.

10 Q. Would you describe generally how you undertook your
11 evaluation of the matrix?

12 A. The assessment that we did of the matrix started out with,
13 first of all, understanding the basic components that were
14 being presented within the context of the matrix;
15 understanding what some of the scientific information was used
16 to develop the measures that were presented; and to understand
17 better what those measures were intended to do in terms of
18 providing either improved habitat conditions or reduced
19 vulnerability of delta smelt to direct entrainment of -- at
20 the SWP and CVP export facilities.

21 The next step in our assessment was to exercise
22 various modeling tools that we had at our discretion. Those
23 include the CALSIM II modeling as well as the DSM II and the
24 particle tracking modeling to be able to further investigate
25 how the various actions that were embodied in the matrix may

1 affect the hydrodynamic conditions within the estuary that we
2 think were important in terms of the vulnerability of delta
3 smelt to export related losses. And to use that improved
4 understanding of what those changes might be, in combination
5 with information on the geographic distribution of delta
6 smelt, as well as this order of magnitude population estimate
7 that we had developed, to be able to better assess what we
8 thought about the ability of the action matrix actions to be
9 able to reduce and avoid adverse impacts and thereby provide
10 protection for the delta smelt and avoid jeopardy.

11 Q. As a result of your evaluation, Dr. Hanson, did you reach
12 any conclusion about whether operation of the two projects in
13 accordance with the action matrix would jeopardize the smelt?

14 A. We did.

15 Q. What was that conclusion?

16 A. The conclusion was that the elements that were contained
17 in the action matrix, which were largely focused on reducing
18 reverse flows in Old and Middle River would be expected to
19 improve habitat conditions within the central and southern
20 portions of the Delta and that they would be able to reduce
21 the potential vulnerability of sub-adult and pre-spawning
22 adult delta smelt based on our best understanding of those
23 relationships.

24 The other factor that we took into consideration was
25 that the period that the interim actions would be in place was

1 relatively short, estimated to be 12 to 18 months before a new
2 Biological Opinion would be prepared and authorized by the
3 Fish & Wildlife Service.

4 And one of the features of the matrix that I found
5 particularly appealing was the ability of the matrix to
6 respond to new information that becomes available for many of
7 the surveys that are underway, such as the 20 millimeter delta
8 smelt survey, the Summer Townet Survey, information on
9 turbidity and hydrologic conditions, result of salvage
10 monitoring as well as these population estimates, to be able
11 to respond to conditions that were occurring within the Delta
12 over that interim period to provide a range of levels of
13 protection that were responsive to the anticipated level of
14 risk.

15 So within the context of looking at the range of
16 actions, which in the matrix go from zero reverse flow to
17 minus 4,000 or so, it gives you the ability to use all of
18 these various pieces of information to be able to make
19 appropriate adjustments within that range to provide an
20 adequate level of protection.

21 Based on those various factors, I concluded that the
22 matrix would provide an adequate level of protection to avoid
23 jeopardy.

24 Q. Did you also make any conclusion or reach any conclusion,
25 Dr. Hanson, about the ability of the matrix to prevent the

1 projects from adversely affecting critical habitat to the
2 smelt?

3 A. We did. In terms of looking at the operations of the
4 project and their affects on critical habitat, we considered
5 several things. One is that an important component of
6 critical habitat for delta smelt is the location of the low
7 salinity regime, the low salinity area that is defined by the
8 two-part per thousand isohaline during the spring months.
9 Typically extending from February through May.

10 And that is an acknowledged action that we think
11 benefits not only delta smelt, but food supplies and a variety
12 of other species. It was an action that was identified during
13 the course of the D 1641 hearings, it's an action that's
14 required for the protection and enhancement of fishery
15 habitat.

16 And one of the questions we asked is there anything
17 in the matrix that would adversely affect the ability to
18 achieve those habitat conditions? And the answer to that was
19 no.

20 The second thing we looked at is would there be a
21 change in the hydrodynamic conditions occurring within the
22 central Delta, Old and Middle River areas, for example, that
23 would respond to the management actions contained within the
24 matrix. And the results of the particle tracking modeling and
25 the results of some of the other modeling indicated that there

1 would be a change that would be anticipated to be more
2 favorable for delta smelt and other species within that area.

3 Q. Dr. Hanson, you mentioned that you made an estimate of the
4 order of magnitude of delta smelt abundance as part of your
5 evaluation of the matrix. What do you mean by "an order of
6 magnitude estimate"?

7 A. Well, there's been, I think, a lot of confusion in the
8 prior testimony about how we intended to use our population
9 estimates. And in doing our estimates, we felt that it was
10 useful and informative if we had some type of a population
11 level context to use as a sounding board, to look at how we
12 make decisions within the context of these matrices.

13 And so we recognized, in preparing these estimates,
14 that there were a number of assumptions that needed to be
15 made, there was certainly uncertainty in how these estimates
16 accurately project true population levels. And we recognized
17 and acknowledged that.

18 But the real value of these estimates, I think, is in
19 looking at whether or not we have 10,000 delta smelt
20 inhabiting the system, 100,000, a million or ten million.
21 Because that informs our decisions in two ways. It informs
22 our decisions about the sensitivity of our various triggers,
23 the kinds of monitoring and the way we approach those triggers
24 for implementing various actions. And I think it also serves
25 as an important backstop to be able to say within these

1 various ranges, that are proposed as part of the action
2 matrix, which end of that matrix would be most appropriate in
3 terms of providing the level of protection necessary to avoid
4 adverse consequences to the delta smelt.

5 Q. You said "which end of the matrix," did you mean which end
6 of the range?

7 A. Which end of the range. So, for example, if we had
8 population estimates that suggested that there were millions
9 of delta smelt inhabiting the Delta. Then when we exercised a
10 management decision with respect to the initial implementation
11 of the reverse flow criteria within Old or Middle River, we
12 might, as a first step, exercise the option at the minus 4,000
13 level. That being a little less protective.

14 If, on the other hand, our order of population
15 estimate suggested that there's only 100,000 delta smelt
16 inhabiting the Delta, the level of protection and the
17 sensitivity of the triggers would need to be increased. And
18 that would include that exercising discretion in how you
19 select the appropriate level of operations for that Old and
20 Middle River criteria should favor the lower end of the range.

21 Q. Dr. Hanson, have you previously attempted to calculate the
22 population abundance of delta smelt independently of and prior
23 to this litigation?

24 A. I have.

25 Q. Where did you do that?

1 A. Well, as part of our ongoing collaborative investigations,
2 working with Fish & Game, Fish & Wildlife Service, academic
3 investigators and others, we've been debating how to calculate
4 population estimates. Not only for delta smelt, but for other
5 fish species, for over a decade.

6 I began the early efforts as part of my work with the
7 Pacific Gas & Electric Company power plant impact assessments,
8 focusing at that time on striped bass population abundance.
9 And we struggled with making those estimates. That began in
10 the 1980s.

11 That effort continued in the early to mid 1990s and
12 extending through the late 1990s. A variety of us, including
13 Bruce Herbold, or Dr. Herbold from EPA, Dr. Wim Kimmerer,
14 K-I-M-M-E-R-E-R, from San Francisco State University, Dr. Bill
15 Bennett, Dr. Rick Sitts, myself and others have all been
16 debating about how best to make these kind of population
17 estimates, how to address the various assumptions that are
18 inherent in these estimates, what kind of data are most suited
19 for making those estimates and how best to proceed.

20 Q. Were you ever commissioned to independently calculate
21 delta smelt abundance prior to this case?

22 A. I was.

23 Q. When was that?

24 A. That was in the late 1990s. I was asked by the State
25 Water Contractors to participate in a series of discussions

1 and meetings and exercises to try and develop population level
2 estimates for delta smelt.

3 Q. At the time you were commissioned in 1999 to calculate the
4 population abundance of the delta smelt, Dr. Hanson, did you
5 develop a methodology to make that calculation?

6 A. We had been developing a methodology throughout this time
7 period. We had a lot of discussions with the Department of
8 Water Resources staff, the Fish & Wildlife, the Department of
9 Fish & Game staff and others about how best to use the
10 existing information and what an appropriate method or the
11 best method available would be. And yes, I did develop and
12 use that fundamental framework for developing population
13 estimates in the late 1990s.

14 Q. Did your work in calculating an order of magnitude of
15 delta smelt abundance in this case grow out of your earlier
16 work?

17 A. It did. The earlier work that we were doing back in the
18 late 1990s led to the development of similar population level
19 estimates that I included as part of the DRMS program. In
20 that case, what we wanted was to get some sort of magnitude of
21 estimate, if there was a major earthquake and a levy failure
22 and delta smelt and other fish were lost as a result of
23 entrainment on to a flooded island, what would that mean in
24 terms of impacts to the overall population of smelt inhabiting
25 the Delta?

1 And so a lot of what we've done originated and was
2 refined as part of the DRMS project. And then because that
3 was available and I knew it and we had the information readily
4 at hand, we used that same method and protocol in preparing
5 the order of magnitude estimates that were presented in my
6 declaration.

7 Q. Has that method or protocol ever been peer reviewed?

8 A. That method has been peer reviewed in two contexts. One
9 is that, as I mentioned, we've had a lot of technical debate
10 over the years about these assumptions and these methods.
11 That serves as an informal peer review.

12 The method that I have used is virtually identical to
13 the method that Dr. Bill Bennett used in estimating delta
14 smelt abundance and published in his 2005 paper. It's also
15 comparable to the estimates that Dr. Wim Kimmerer used a
16 similar approach for calculating striped bass juvenile
17 abundance. Those have both been formally peer reviewed.

18 Q. And where was Dr. Bennett's use of this methodology peer
19 reviewed?

20 A. It was peer reviewed with his submission of his delta
21 smelt white paper for publication in the San Francisco Estuary
22 & Watershed Science online journal.

23 Q. And that paper that Dr. Bennett produced, using this
24 methodology, was published; was it not?

25 A. Yes, it was.

1 Q. And is the publication San Francisco Estuary & Watershed
2 Science considered by fisheries biologists to be reputable
3 scientific journal?

4 A. It is a reputable journal. It focuses primarily on issues
5 of relevance with respect to the Bay-Delta region as opposed
6 to a national perspective. But yes, it is a reputable and
7 well regarded journal.

8 Q. Dr. Hanson, is the methodology that you used to calculate
9 smelt abundance in this case a method that is used by other
10 fish biologists to calculate abundance of resident fish?

11 A. Yes, as I mentioned, it's the same basic method that Dr.
12 Bill Bennett used in calculating delta smelt abundance for the
13 20 millimeter survey data, for the Summer Townet Survey data
14 and for the Fall Midwater Trawl Survey data.

15 Q. Are you aware of any other methodology that could be used
16 to attempt to estimate the order of magnitude of the smelt
17 population?

18 A. Well, over the years we've explored a variety of different
19 approaches. And in doing so, we consider, you know, what the
20 various methods require, how they're employed, the kind of
21 data that are used. And there are several alternative methods
22 that have been used in estimating population abundance for
23 other fish species. For example, one of the classic
24 techniques is a mark recapture program.

25 Q. What's involved with that kind of program?

1 A. That type of a program, you mark with typically an
2 external mark, a fin clip or some other identification of a
3 known number of the target species, in this case it would be
4 delta smelt. Those marked fish are then released into the
5 habitat and commingled with the wild population or unmarked
6 portion of the population.

7 And then through subsequent resampling, looking at
8 the proportion of your marked fish that are recaptured
9 relative to the proportion of unmarked fish, you can calculate
10 a population estimate.

11 Q. Can that method be used with delta smelt?

12 A. We didn't feel that it could be. The reasons are several
13 fold. One is that our readily available source of delta smelt
14 is through the captive breeding program conducted by the
15 University of California. They produce delta smelt at the
16 State Water Project facility for use in experimental
17 investigations. But as part of their permit, the US Fish &
18 Wildlife Service prohibits that any of those captive smelt be
19 released into the wild.

20 The second -- and therefore, in order to get fish to
21 mark, we would need to do some type of fishery sampling in the
22 Delta. And fishery sampling for delta smelt in the Delta is
23 difficult to perform without incurring elevated levels of
24 stress and mortality on the population.

25 In addition, once you've collected these delta smelt,

1 you then need to handle them, mark them and subsequently
2 release them. All assuming that they're now responding and
3 surviving as if none of those traumas had occurred. Delta
4 smelt are an extremely sensitive fish species, they have high
5 mortality rates when handled, and we didn't feel that that was
6 an appropriate technique for application in this instance.

7 Q. Is the counting of fish carcasses another way of
8 determining fish population?

9 A. It is for salmon. And salmon have the attribute that
10 after they spawn, they die. And therefore, you can go in to
11 the areas adjacent to the spawning habitat, you can mark those
12 carcasses, they're typically restricted in a relatively small
13 area adjacent to the spawning habitat. And again, through
14 marked recapture types of estimates, you can calculate the
15 numbers of total spawning Chinook salmon in this instance.

16 Q. Is that a method that's feasible to use with delta smelt?

17 A. It really isn't. Even though delta smelt are a one-year
18 species for the most part. And we think that they all die or
19 majority die after spawning, delta smelt are relatively small.
20 They're three or four inches in length. They're translucent
21 for the most part. The Delta is extremely large. It's
22 extremely turbid and frankly we don't know where delta smelt
23 spawn within the estuary. And so our ability to go out and
24 count or even find carcasses and make representative estimates
25 on a mark recapture basis is just impractical.

1 Q. If we can't use mark recapture, Dr. Hanson, and we can't
2 use carcass counting, is there any other method you're aware
3 of for calculating the order of magnitude of smelt abundance
4 than the one you used?

5 A. No. We think that using the Department of Fish & Game
6 fisheries sampling information is the best information
7 available for making these estimates. A variety of
8 investigators, including Dr. Ken Newman from the US Fish &
9 Wildlife Service, are further investigating not the data
10 sources so much but how better to characterize the variability
11 and how better to characterize and address some of the
12 assumptions.

13 But fundamentally, we have not identified a more
14 appropriate or better approach for doing these order of
15 magnitude estimates.

16 Q. Dr. Hanson, I'd like to show you --

17 THE COURT: Is that because the field has been
18 exhausted and there are no other tests or calculations or fora
19 that can be brought to bear on this?

20 THE WITNESS: No, it's the surveys that we conduct,
21 that the Department of Fish & Game conducts, provide really
22 good information almost at a two-week interval on the
23 abundances measured by density and the distribution of delta
24 smelt throughout their habitat. There are a number of
25 refinements, however, that could be made to that sampling

1 program that would provide better reliability for making these
2 estimates.

3 THE COURT: What about this question of the vertical,
4 if you will, representativeness of the samples where at least,
5 as I've understood it, what Dr. Swanson has been saying, that
6 below ten and a half feet, that you don't have an even
7 distribution and that the smelt are varied.

8 THE WITNESS: And for some of the surveys, such as
9 the Summer Townet Survey, that net samples the upper part of
10 the water column. And therefore, information on the vertical
11 distribution is one of the assumptions that needs to be
12 addressed.

13 For the 20 millimeter survey, however, the Department
14 of Fish & Game uses an oblique tow and the oblique tow, they
15 drop the net all the way back to the bottom and they sample
16 for about three minutes on the bottom, they raise the net to
17 the mid portion of the water column, sample for three minutes,
18 then they raise it to the surface and sample for three
19 minutes. So over the course of that ten-minute tow, they have
20 sampled representative water from all three ranges of the
21 water depth and therefore that particular extrapolation or
22 assumption is not required for the 20 millimeter survey. But
23 it is an issue with respect to the summer townet and the Fall
24 Midwater Trawl Surveys.

25 THE COURT: And on the distributions, as to its

1 representativeness, again, I've understood Dr. Swanson's
2 testimony to be that the fish aren't uniform, they're not in
3 clear intervals where they can be identified throughout the
4 Delta. There are obviously areas where they concentrate and
5 areas where they may not be present.

6 THE WITNESS: And there's no question that there is
7 heterogeneity to these distributions. There are aggregations
8 in fish that occur more densely in some areas than in others.
9 And to be able to address that, what we've done is several
10 things.

11 One is we've tried to divide the Delta into
12 manageable regions so that although we're extrapolating, we're
13 extrapolating within a more confined volume of water. And
14 that helps reduce the influence of that heterogeneity. To the
15 extent that we have -- and we have a map that shows the
16 distribution of those sampling sites relative to our regions.

17 Many of the regions have multiple sampling sites
18 within the region. And in those areas that have multiple
19 sampling sites, we've used the average density for all of
20 those sites to represent the density within that given portion
21 of the water volume. But there's no question, we, by
22 necessity, have made the assumption that there is a uniformity
23 of distribution.

24 One of the things that we've recommended in my
25 declaration in August is that it would be extremely helpful if

1 the Department of Fish & Game were to go out and conduct an
2 experimental investigation that gave us better information on
3 the vertical distribution and the spacial heterogeneity within
4 these areas. There's a lot of interest in generating these
5 kinds of estimates for delta smelt. That additional sampling
6 would help us dramatically improve our estimates.

7 The downside to that sampling is that, as a result of
8 doing those surveys, there would be incidental take of delta
9 smelt. And at these extremely low population abundance
10 levels, there's a serious decision to be made as to whether or
11 not the value of the information from the sampling and the
12 improvements in our estimates outweigh the risk and the
13 vulnerability of collecting and killing additional delta
14 smelt. And to date, the Department of Fish & Game, and I
15 think appropriately so, has said that we feel that this
16 population is at such low levels that we're not willing to
17 take that risk.

18 THE COURT: And just an estimate, what quantity are
19 we talking about in terms of the take to conduct these kinds
20 of studies?

21 THE WITNESS: Estimates to conduct these kinds of
22 studies in the past, I would have said would have resulted in
23 the potential collection of as many as thousands of delta
24 smelt. Under the low population levels that we currently have
25 and based on the low numbers of fish that have been reported

1 in the Fish & Game surveys in the past several years, we're
2 probably talking about an additional take that's in the
3 hundreds of fish.

4 THE COURT: And you agree with Fish & Game's decision
5 not to conduct these kinds of samples because of the threat to
6 the species?

7 THE WITNESS: I have proposed several fishery
8 sampling programs that were to be implemented in 2007 that
9 would have provided information to address specific issues of
10 interest, for example, to the National Marine Fisheries
11 Service.

12 THE COURT: Let's do one thing. Before you give me
13 that, answer my question.

14 THE WITNESS: No, I will.

15 THE COURT: If you would, please.

16 THE WITNESS: I'm getting there.

17 THE COURT: You're getting there?

18 THE WITNESS: I am.

19 THE COURT: All right.

20 THE WITNESS: The Department of Fish & Game -- when I
21 submitted those proposals, I indicated that, you know, I would
22 have some additional delta smelt take as a result of that
23 sampling. The proposals were endorsed by the National Marine
24 Fisheries Service, my clients, everything was in place and the
25 Department of Fish & Game denied my permits. And I agreed

1 with that decision. And that's a reflection of the low
2 numbers of delta smelt that we currently have and the
3 precautionary nature that Fish & Game is taking.

4 THE COURT: And you answered -- anticipated my next
5 question, which is that you agreed with that decision not to
6 issue permits.

7 THE WITNESS: I did.

8 THE COURT: And do those conditions still pertain as
9 of today?

10 THE WITNESS: As of today, if anything, those
11 conditions are worse, Your Honor.

12 THE COURT: Okay. Thank you. You may continue.

13 BY MR. WILKINSON:

14 Q. Dr. Hanson, I've put on the easel a map, which I would
15 like to have marked as State Water Contractors Exhibit H.

16 (Defendants' Exhibit SWC H was marked for
17 identification.)

18 BY MR. WILKINSON:

19 Q. Do you recognize that map? We also have it, Your Honor,
20 on the Elmo here. If you can't see the map.

21 THE COURT: Yes.

22 BY MR. WILKINSON:

23 Q. Dr. Hanson, do you recognize Exhibit H?

24 A. Yes, I do. This was a map that we originally prepared and
25 was included in the DRMS technical memorandum regarding the

1 environmental assessment of Levy failures. It was
2 subsequently used as one of the exhibits in my earlier
3 declaration.

4 Q. And you prepared this map, did you?

5 A. I had this map prepared for me.

6 Q. It was under your direction and control?

7 A. Yes, it was.

8 Q. Using the map, Dr. Hanson, would you describe how you
9 undertook your evaluation of the order of magnitude abundance
10 of delta smelt?

11 A. The approach that we used had several steps. The first
12 was that we needed to identify various regional areas within
13 the Delta estuary that would be used for our estimations. And
14 those areas are shown on this exhibit, for example, as area A3
15 being in the far northern portion of the Delta. Area A5 being
16 in the lower San Joaquin River. Area A2-B being in the Suisun
17 Bay area.

18 So we divided the Delta up into this geographic
19 regions trying, to the extent we could, to have multiple Fish
20 & Game sampling sites within the various regions of most
21 interests.

22 Q. Let me ask before you continue. Have these geographic
23 regions been developed specifically for this litigation or
24 were they some prior effort to divide the Delta into regions?

25 A. These were developed specifically as part of our DRMS

1 investigations. And they were done over the past year and a
2 half or so.

3 Q. Please continue.

4 A. Once we've identified the various geographic regions, then
5 the next question that arises is how much water is contained
6 within those regions. And in order to estimate the volume of
7 water within each region, we use mathemetric information,
8 information on the water depth from various surveys conducted
9 by USGS and the Department of Water Resources. We then
10 imported that detailed mathemetric information into a GIS
11 system. We use that to calculate the surface area and the
12 volume of aquatic habitat within each region. And then we
13 summed over those various portions of the channel within a
14 region to calculate the volume of water within each of the
15 geographic regions depicted on the exhibit.

16 The next step in --

17 THE COURT: Before you go to the next step.

18 THE WITNESS: Yes.

19 THE COURT: Describe the location of some of these
20 geographic regions. Was there any criteria that you were
21 applying in choosing or is it just geographic, that they're in
22 different places where the conditions are different?

23 THE WITNESS: There's several different ways to
24 identify these geographic regions. Ours was primarily based
25 on geography and it was primarily based on the distribution of

1 Department of Fish & Game sampling sites that would provide us
2 data.

3 Alternative methods have been employed by Bill
4 Bennett and others that have used different geographic
5 regions, they cover the same extent, but they divvy the Delta
6 up into different areas so that there's a different weighting
7 factor based on the volume, for example, of habitat in the
8 Lower Sacramento River versus the Lower San Joaquin based on
9 habitat differences. In my scenario, we did not do that.

10 THE COURT: And the reason?

11 THE WITNESS: The reason was that that when we
12 originally developed this, this was the approach that we had
13 originally adopted. And in the course of reviewing this, we
14 asked a variety of experts for their opinions about this
15 geographic distribution. And they included Dr. Wim Kimmerer,
16 Dr. Moyle and others. And we had an extensive discussion
17 about the geographic nature of these and how best to divvy
18 these up.

19 They basically said there are some alternative ways
20 to do this. We think those alternative ways might better be
21 explored to see what difference they make in terms of the
22 population estimates. But frankly, given the short period of
23 time, we didn't have the opportunity to conduct those. That,
24 I feel, is one of the areas of refinement that folks like Dr.
25 Ken Newman and others will be exploring.

1 THE COURT: And in terms of, if you will, the
2 scientific reliability of the difference in the two systems
3 identifying the areas to be analyzed, is the difference in
4 terms of its quantitative effect significant?

5 THE WITNESS: The question of whether it's
6 significant depends on how you plan to use the data and what
7 resolution you're looking for. We never intended our
8 estimates to have an adequate resolution to be able to detect
9 a difference in the population estimate of, say, 100,000
10 versus 101,000. Or 100,000 versus 110,000. If you're looking
11 for that degree of confidence in resolution, then there are
12 lots of refinements that would need to be made to this
13 approach in order to achieve that. If, on the other hand,
14 your question is do we think we have 100,000 delta smelt or a
15 million, then I think this question is less relevant.

16 THE COURT: I think the question is are these
17 identified areas and this methodology sufficient to achieve
18 the goal of protection of the species and its habitat for
19 analytical purposes in deciding what you're going to do.

20 THE WITNESS: I think for that purpose, they are.
21 Because they provide us enough information on the context of
22 the population level to be able to say what types and what
23 magnitude of thresholds would be most appropriate, what range
24 of actions we should be working in. The way I've approached
25 these estimates, Your Honor, was never intended to make

1 judgments as to whether or not delta smelt should be delisted,
2 whether or not its population abundance has increased or
3 decreased in the context of trends over years.

4 And I think there's been a misunderstanding that a
5 population estimate of a million fish may sound like a lot to
6 a layperson, in the context of a pelagic species like delta
7 smelt, a million larval and early juvenile fish is still a
8 remarkably low number.

9 THE COURT: Thank you. You may continue.

10 BY MR. WILKINSON:

11 Q. Dr. Hanson, you've mentioned a number of assumptions that
12 you've made in the course of developing your order of
13 magnitude abundance estimate. Did you discuss the assumptions
14 that you've described with other scientists?

15 A. Yes. We've been discussing these assumptions
16 collaboratively for years.

17 Q. So you discussed the assumption with these scientists
18 about even distribution throughout the region?

19 A. I have. And some scientists have tried to stratify their
20 estimates to account for vertical variation in the
21 distribution. For example, I believe that Dr. Miller's
22 estimates using the summer townet use only the top six feet of
23 the water column when he's estimating his densities and his
24 volumes.

25 Q. Is there a reason, Dr. Hanson, why you did not make that

1 assumption?

2 A. I didn't make that assumption for two reasons. Well,
3 three actually. One is that we don't have information that
4 gives us a high degree of resolution about the vertical
5 distribution or the lateral distribution of delta smelt at
6 various life stages and under various environmental conditions.
7 So the body of information we have to make those refinements
8 is somewhat limited.

9 Second is that if you're going to start making
10 refinements to these estimates, it doesn't end with simply the
11 assumption of uniformity of distribution. You have to also
12 account for other assumptions and sources of error and
13 uncertainty in the calculation. And those go to such things
14 as the size selectivity of the various nets that we use. And
15 there again, I didn't feel that we had good information at
16 this time. Although we've recommended some additional studies
17 that would help that -- that would give us the information to
18 be able to address that specific relationship.

19 And so rather than embarking on a whole series of
20 unknowns and some of these other assumptions, I chose to
21 simply simplify my approach. Recognize that we had made
22 assumptions that could lead to both over and underestimation
23 of the population abundance, put that out as the fundamental
24 assumptions and approach that we've used and rely on the fact
25 that we're not trying to be overly precise in our estimates,

1 but we're trying to just simply give an order of magnitude for
2 purposes of informing our decisions.

3 Q. You mentioned earlier that Dr. Bennett uses a methodology
4 that's essentially the same as your methodology. Does Bennett
5 make similar assumptions to those that you made?

6 A. Dr. Bennett did make similar assumptions. Dr. Bennett, as
7 did I, assumed that each of the gear types is 100 percent
8 efficient in collecting all size classes of delta smelt.

9 Dr. Bennett, as did I, also assumes that there is
10 uniformity of distribution as represented by the density of
11 delta smelt at the various Fish & Game sampling sites. And
12 Dr. Bennett also made the assumption that you could multiply
13 the density of delta smelt, the number per acre feet, times
14 the number of acre feet in a region and calculate a standing
15 stock estimate for that abundance estimate.

16 Q. Did Dr. Bennett also use the same data sources that you've
17 used, the 20 millimeter survey data and the Summer Townet
18 Survey data?

19 A. Dr. Bennett used the same data sources, being the 20
20 millimeter Fish & Game surveys, the summer townet and the fall
21 midwater trawl. The difference is that Dr. Bennett used data
22 from earlier years. I restricted my analysis to only those
23 data available during 2007.

24 Q. Do you have an opinion, Dr. Hanson, about the suitability
25 of the 20 millimeter survey data and the summer townet data

1 for purposes of calculating smelt abundance?

2 A. For purposes of calculating abundance, they are the best
3 data source that we have. We considered a variety of other
4 data sources, like salvage. And we rejected those because
5 they sample at only one site and don't portray the geographic
6 distribution of delta smelt.

7 As you can see by the map, the surveys that are done
8 by Fish & Game, in this example for the 20 millimeter survey,
9 cover a large geographic area. And that's an important
10 consideration when developing these types of estimates because
11 the delta smelt population moves geographically throughout the
12 estuary and you need to take that into account.

13 Q. Are there any other data sources that you could have used
14 but didn't?

15 A. The two other data sources that we could have used, but
16 didn't. One is the Department of Fish & Game Bay Survey. And
17 we didn't use that because it's focused primarily on developing
18 information on the abundance and species composition and
19 distribution of fish in the lower parts of the estuary,
20 central and northern San Francisco Bay, San Pablo Bay and
21 Suisun Bay.

22 The other survey that could have been used is the
23 Fall Midwater Trawl Survey. It has a good geographic
24 distribution of sampling sites, but the fall survey is
25 conducted in September, October, November and December. And

1 those surveys have not been conducted in 2007 and hence those
2 data are not available.

3 Q. Dr. Hanson, referring your attention to State Water
4 Contractor Exhibit F, your declaration of July 23rd. Do you
5 recall whether you disclosed the assumptions that you used in
6 calculating your order of magnitude abundance estimate?

7 A. Yes, we discussed the assumptions that we used and the
8 implication of some of the assumptions in both my July and
9 August declarations.

10 Q. In your declaration of August 13th marked as
11 Exhibit -- State Water Contractor Exhibit G, did you discuss
12 the investigations you've described that might be helpful in
13 your view to address the issue of the population abundance and
14 refine those estimates?

15 A. Yes. Starting on paragraph six, page two, we discuss the
16 uncertainties inherent in the CDFG sampling program in meeting
17 these needs. And in fact, I have the first sentence of
18 paragraph six says, "Despite the uncertainties inherent in the
19 CDFG fishery sampling program in providing representative
20 estimates of actual delta smelt," their distribution, you
21 know, there's a desire to make these estimates.

22 And so we have identified -- and I believe it's in
23 paragraph 37 of my declaration, starting on page 22, some of
24 the types of additional experimental investigations that we
25 think would be appropriate to be implemented as part of the

1 ongoing monitoring program that helped -- that would help
2 provide greater resolution with respect to these issues. The
3 issues of the geographic distribution and spatial
4 heterogeneity through these additional sampling programs that
5 we've discussed. Studies that --

6 THE COURT: You know, doctor, if I could. Unless I'm
7 looking at the wrong declaration, the declaration I'm looking
8 at doesn't have a page 37 or page 22. It has 14 pages.

9 THE WITNESS: This would be my declaration of August
10 13th.

11 MR. WILKINSON: That declaration, Your Honor, has 31
12 pages of text.

13 THE COURT: All right. I have that. Page 22,
14 paragraph 37.

15 THE WITNESS: And all I'm pointing out there, Your
16 Honor, is that there is a need, if we're going to expand our
17 understanding of the population dynamics of delta smelt, we
18 can't rely exclusively on the surveys that have been conducted
19 to date. They form the foundation, but there's additional
20 information that could be conducted or compiled through
21 focused experimental studies that would really help us improve
22 our understanding of some of these assumptions. For
23 example --

24 THE COURT: Any idea why they haven't been done in
25 the last 25 years?

1 THE WITNESS: I really hesitate to speculate on why
2 Fish & Game has not done some of these. For some of these
3 studies, they have been done, but they've been done on striped
4 bass. For some of the studies, they have been done in part,
5 but not to the extent that would be necessary to really lay
6 the foundation for extrapolating this.

7 And I'm confident with the interest in developing
8 these types of population estimates and with the introduction
9 of Dr. Ken Newman, who's now on staff with the Fish & Wildlife
10 Service and working on this issue, Dr. Mike Chotkowski from
11 the Bureau of Reclamation, the interest within the context of
12 the Delta Smelt Recovery Team, I think will make good strides
13 in improving these estimates.

14 THE COURT: All right. Let me address a logistical
15 issue. You refer to this as Exhibit F. But I'm looking at
16 the date -- there isn't any docket information on the
17 declaration I'm looking at. But the signature date of the
18 declaration by Dr. Hanson is August 13th.

19 MR. WILKINSON: I believe that's Exhibit G, Your
20 Honor.

21 THE COURT: And I got 28 pages. That's my point.
22 It's marked Exhibit G. And so if I look at Exhibit H, it's
23 one page. And I've got Exhibit F here and Exhibit F --

24 MR. WILKINSON: Should be Dr. Hanson's declaration of
25 July 23rd.

1 THE COURT: It is. But it's 14 pages long. So I --

2 MR. WILKINSON: Okay.

3 THE COURT: I'm still puzzled. Unless you didn't
4 intend to refer to Exhibit F when you asked him to refer to
5 Exhibit F.

6 MR. WILKINSON: Well, I meant --

7 THE COURT: Instead it was Exhibit G.

8 MR. WILKINSON: -- declaration of July 23rd.

9 Q. Dr. Hanson, can we straighten this out. Which declaration
10 were you referring to?

11 A. In my declaration of July 23rd, I do have a brief
12 discussion of the assumptions that went into making my
13 estimates. Those assumptions are reiterated and expanded on
14 in my declaration of August 13th.

15 THE COURT: All right. So in Exhibit F as in Frank,
16 that's at page two, paragraph six. And then we go to Exhibit
17 G for page 22, paragraph 37?

18 THE WITNESS: I believe --

19 THE COURT: That's the 13th, August 13th declaration.
20 Because you referred by the date of declaration. First you
21 used the exhibit declaration, then you didn't use it again for
22 the second reference. So I'm trying to keep straight what I'm
23 supposed to refer to.

24 THE WITNESS: Okay. The original reference that I
25 made, Your Honor, to paragraph six, page two.

1 THE COURT: Yes.

2 THE WITNESS: Refers to information contained in my
3 August 13th declaration.

4 THE COURT: That is Exhibit G as in garden.

5 MR. WILKINSON: That's correct. That's Exhibit G.

6 THE COURT: All right. And then you referenced on
7 page 22, paragraph 37, is that also in G?

8 THE WITNESS: Yes, Your Honor.

9 THE COURT: All right. Well, let's take the morning
10 recess at this time. What we're going to do is this. We're
11 going to add to our hours of operation. We're going to go
12 12:15 to 1:15. We're going to shorten the noon hour. And we
13 are going to go to five p.m. and we'll try to get the reporter
14 an extra recess in there to try to expand our hours of
15 operation.

16 We're in recess until -- 15 minute recess. I won't
17 say facetiously you figure it out, but please do.

18 MR. WILKINSON: I think we can calculate that.

19 THE COURT: Yes. We're in recess.

20 (Recess.)

21 THE COURT: We're going back on the record in NRDC
22 versus Norton. Mr. Wilkinson can continue with Dr. Hanson.

23 MR. WILKINSON: Thank you, Your Honor. We have
24 marked as State Water Contractor Exhibit H the large poster
25 board size map. I would like to offer that into evidence at

1 this time.

2 THE COURT: Any objection?

3 MR. WALL: No objection, Your Honor.

4 THE COURT: Exhibit H is received in evidence.

5 (Defendants' Exhibit SWC H was received.)

6 THE COURT: So we have the description of it, it is a
7 survey by area of --

8 THE WITNESS: Exhibit H is a map of the Delta showing
9 the various regions that were used in developing the order of
10 magnitude population estimates and also showing the location
11 and number of the Department of Fish & Game sampling sites.

12 THE COURT: Let's call it an area of magnitude
13 location map. You may continue.

14 MR. WILKINSON: Thank you, Your Honor.

15 Q. Dr. Hanson, I would like to have marked as -- Your Honor,
16 I'd like to have marked as Exhibit I for the State Water
17 Contractors a document that I'm going to hand to Dr. Hanson.

18 (Defendants' Exhibit SWC I was marked for
19 identification.)

20 BY MR. WILKINSON:

21 Q. Have Hanson, have you seen State Water Contractor Exhibit
22 I previously?

23 A. Yes, I have.

24 Q. And could you tell us what that is?

25 A. This is Exhibit 4 from my July declaration. It's a

1 graphic in histogram form that shows the estimates of the
2 delta smelt population that we derived from Fish & Game 20
3 millimeter surveys numbers four through nine in 2007.

4 Q. And does it show what the estimated order of magnitude
5 abundance was as of the completion of those surveys?

6 A. It does. As reflected by the height of each of the
7 individual bars representing the population estimate for a
8 specific 20 millimeter survey.

9 Q. And can you explain what's shown then on the graph?

10 A. What's shown is that our estimates of the larval and early
11 juvenile delta smelt population were roughly less than 100,000
12 based on the data that were available from surveys four, five,
13 six and seven. The estimate based on the survey results from
14 survey eight suggested that the delta smelt population at that
15 time, as reflected in these indices, was approximately 700,000
16 delta smelt and increased in survey nine to an estimate of 1.8
17 million delta smelt.

18 Q. Was this graph intended to demonstrate a trend of
19 increasing delta smelt abundance across years, Dr. Hanson?

20 A. It was not. This graph was intended only to present
21 information on the discrete 20 millimeter surveys that had
22 been conducted by Fish & Game during their 2007 surveys. It
23 does not purport any relationship between these abundance
24 indices and any of the abundance indices from earlier years.

25 Q. What was its purpose?

1 A. Its purpose was to try and provide some context for
2 evaluating the triggers and the decisions that would need to
3 be made in order to provide an adequate level of protection
4 for delta smelt given the ranges of management options that
5 were contained in some of the proposals.

6 Q. Based upon the estimate of the order of magnitude
7 abundance shown on the State Water Contractor Exhibit I, Dr.
8 Hanson, did you conclude that the delta smelt is no longer at
9 risk?

10 A. I did not.

11 Q. Did you conclude that the smelt should no longer be a
12 species of concern?

13 A. No. And it would be inappropriate to make that kind of
14 conclusion based on the results of just one year of any
15 survey.

16 Q. Did you recommend that the smelt be delisted?

17 A. I did not.

18 THE COURT: And can I ask you, if we were to put a
19 survey ten, if there was one, for the period of July 7th or
20 8th to August 8th, where would the graph be going or the
21 histogram?

22 THE WITNESS: It's somewhat speculative, Your Honor.
23 At the completion of survey nine --

24 THE COURT: I want you to estimate, don't speculate.

25 THE WITNESS: What I would estimate is that the

1 population in I survey ten would go down from what we have in
2 survey nine. And the reason for that is that the delta smelt
3 at that time not only would have experienced some level of
4 mortality within the Delta, but the second is that we've
5 talked about the gear selectivity and there are two aspects to
6 that.

7 One is that the 20 millimeter net is not efficient at
8 retaining very small fish because of the size of the mesh.
9 The second is that it's not very effective in capturing larger
10 delta smelt that have a greater ability to avoid the net. And
11 both of those sources of bias would need to be taken into
12 account. But given that, I would expect the number to go
13 down.

14 THE COURT: What do you expect the survey to do or
15 the histogram, if we were to complete the year?

16 THE WITNESS: If we were to complete the year, I
17 think what you would see, Your Honor, is that we would have
18 relatively marked reduction in population abundance as we came
19 into and through the summer months. And that's based on the
20 fact that delta smelt, as with virtually every pelagic
21 species, produces a large number of larvae that then
22 experience substantial mortality over the remainder of the
23 year.

24 As you start to go out into the fall and the
25 wintertime period, those fish are larger, they're sub-adult

1 pre-spawning delta smelt. And at that time, the population I
2 would expect to somewhat stabilize as the mortality rates
3 decline with increasing size. Once spawning occurs, then
4 there would be a marked mortality of those delta smelt that
5 have spawned.

6 THE COURT: And just based on your experience year
7 after year, if you were again to estimate only based on your
8 observations of the actual, where does the population go, for
9 instance, from September through December?

10 THE WITNESS: From September through December, we
11 have -- at that point in time, the delta smelt are sub-adults.
12 We're at a point where the temperatures in the Delta are
13 starting to decline. We're at a point where many of the other
14 diversions that are occurring within the Delta are starting to
15 decline. We're past the irrigation season.

16 And based on all of those factors, I would expect the
17 rate of mortality, from September through December, to be
18 relatively stable but still continuing to decline slightly.

19 THE COURT: And what is your opinion about the
20 efficacy of the four to five percent survival opinion?

21 THE WITNESS: The four to five percent survival
22 opinion is based on useful and relevant data, but it's based
23 on data extending from the juvenile stage through the
24 pre-spawning adults. So it would be an overestimate of
25 mortality rate from September through December.

1 THE COURT: Well, let's take this in two steps. If
2 you're to take the latter, which is September through
3 December, what is your best estimate, by way of opinion, of
4 what the mortality for delta smelt is? And that's from the
5 pre-adult pre-spawning to whatever they are by the end of
6 December.

7 THE WITNESS: From -- let me do it sort of
8 sequentially, Your Honor. From the period of the late spring,
9 when delta smelt are in their early larval and early juvenile
10 lifestages, mortality rates are extremely high and our
11 expectation of a larval fish at that stage surviving to become
12 a reproductive adult is somewhere in the range of that four
13 percent.

14 If you took that same pre-spawning sub-adult delta
15 smelt in September and projected that forward to the number of
16 anticipated spawning adults later in the winter, you're in the
17 range of probably 25 to 50 percent survival.

18 THE COURT: Would it then be fair, would it be a
19 reasonable estimate to apply the four percent survival up to
20 September and then the greater survival rate after?

21 THE WITNESS: That -- we frequently break up the life
22 histories into segments that way, reflecting changes in
23 mortality rates.

24 THE COURT: And I don't think we've talked about it
25 yet in this case, but I'm going to ask you now. From the time

1 of spawning, what does the population look like? In other
2 words, we've got the dying spawned fish and then the new, if
3 you will, eggs or larval, those can't be counted?

4 THE WITNESS: Those cannot be counted, Your Honor.
5 The eggs are adhesive and we don't know where the spawning
6 occurs. But at the egg stage is when you have the highest
7 population abundance in the year. From that point forward,
8 that fish experiences substantial mortality.

9 THE COURT: And from the egg stage, are all the fish
10 that have spawned dead?

11 THE WITNESS: No. There's a small percentage of
12 delta smelt that appear to survive to age two. But their
13 contribution to the reproductive population in subsequent
14 years is debatable.

15 THE COURT: All right. And so not countable.

16 THE WITNESS: We don't -- I think they're part of the
17 population, but we don't rely on them, Your Honor.

18 THE COURT: All right. And compared to the July
19 estimate, where would the January estimate of the delta smelt
20 be if we were in January of '08?

21 THE WITNESS: Probably somewhere in the range,
22 depending on environmental conditions, between about 10 or 20
23 percent of those fish would be expected to survive to become
24 spawning adults.

25 THE COURT: And if we can quantify that? A number?

1 THE WITNESS: The number that we had generated from
2 the survey three of the Summer Towntet Survey, Your Honor, was
3 about 700,000 fish. And that was in early July.

4 THE COURT: So we would be looking at 140,000 fish in
5 January?

6 THE WITNESS: Correct, Your Honor.

7 THE COURT: Thank you. You may continue.

8 MR. WILKINSON: Thank you, Your Honor. At this time
9 I'm going to offer into evidence State Water Contractor
10 Exhibit I.

11 THE COURT: Any objection?

12 MR. WALL: No, Your Honor.

13 THE COURT: Exhibit I is received in evidence.

14 (Defendants' Exhibit SWC I was received.)

15 BY MR. WILKINSON:

16 Q. Dr. Hanson, you described just briefly the subsequent
17 calculation that you made. Can you elaborate a little bit
18 upon that? Did you make a subsequent calculation of order of
19 magnitude abundance and what data did you use to do that?

20 A. Yes, I did. The 20 millimeter estimates were presented in
21 my late July declaration, because they were the most recent
22 information available at the time. Between the period of
23 submittal of the July declaration and the submittal of the
24 August declaration, information became available from the
25 Department of Fish & Game Summer Towntet Surveys.

1 The latest of those surveys was survey three. And I
2 used survey three summer townet data within the same structure
3 of analysis that we've described to generate an estimate of
4 delta smelt abundance at that time. And that estimate was
5 about 680 or 700,000 fish.

6 Q. All right. Dr. Hanson, I'd like to show you a graph that
7 has been marked for identification as State Water Contractor
8 Exhibit J.

9 (Defendants' Exhibit SWC J was marked for.
10 identification.)

11 BY MR. WILKINSON:

12 Q. Do you recognize that exhibit, Dr. Hanson?

13 A. Yes, I do.

14 MR. WALL: Mr. Wilkinson, counsel have not yet
15 received a copy of that.

16 MR. WILKINSON: I'm sorry. I'll repeat myself.

17 Q. Dr. Hanson, do you recognize Exhibit J?

18 A. I do. It's Exhibit 8 to my mid August declaration.

19 Q. And can you tell us what's shown on Exhibit J?

20 A. What's shown on Exhibit J are the previous 20 millimeter
21 delta smelt estimates that we've discussed. But in Exhibit J,
22 we have also included the results from the Summer Townet
23 Survey number three, which was conducted between July 9th and
24 July 14th.

25 Q. And are those results shown on the graph?

1 A. They are. They're shown on the right-hand side of the
2 histogram. It's a faint gray bar in this rendition. And
3 marked at the top with "STN," referring to summer townet.

4 Q. And what does the histogram show in terms of the order of
5 magnitude abundance?

6 A. It shows that the order of magnitude abundance from summer
7 townet number three is about 700,000 fish.

8 Q. Dr. Hanson, do you have an explanation for why the
9 estimated abundance of juvenile smelt would drop from 1.8
10 million on July 2 to approximately 700,000 on July 7?

11 A. Yes. In fact, in my declaration, we provide some
12 speculations on some of the factors that would account for
13 that decline. And those include two important things.

14 One is that between each of these surveys, as we've
15 just discussed, there is mortality that's occurring to the
16 delta smelt. The magnitude of that mortality is unknown and
17 somewhat variable from one year and one period to the next.

18 The second factor that goes into these calculations
19 that we've already talked about is the size selectivity of the
20 gear. And for the 20 millimeter sampling techniques, as the
21 delta smelt are growing larger through the late spring and
22 summer, they're attaining a size where they are more
23 efficiently retained by the net and hence, for survey nine,
24 for example, with larger delta smelt, we would very likely be
25 approaching that 100 percent retention of the net that we had

1 assumed in our calculations.

2 With the change from the summer townet to -- or from
3 the 20 millimeter data to the summer townet, we're now at a
4 point where the delta smelt size is relatively small compared
5 to the mesh of the net and hence the efficiency of the gear in
6 the Summer Townet Survey would be expected to go down. Those
7 factors combined led us to, you know, fully expect that there
8 would be a decline in the population estimate. And that's
9 what we saw.

10 Q. In the course of developing your estimates of smelt
11 abundance, did you consider the work of any other scientists?

12 A. I did.

13 Q. And who were they?

14 A. I considered the work of Dr. Bill Bennett. And in that
15 context, even though Dr. Bennett had not produced population
16 estimates for 2007, one of the persuasive pieces of evidence
17 that Dr. Bennett provides are highly significant correlations
18 between his population estimates and the corresponding summer
19 townet or fall midwater trawl.

20 And that good relationship gave me some comfort and
21 some confidence that we, at least, had a structure for doing
22 these estimates that fell within an appropriate order of
23 magnitude and reflected the data that was being reflected in
24 the indices as well as the population estimates. And that
25 included his relationship between the 20 millimeter delta

1 smelt population abundance and the corresponding townet -- or
2 Summer Townet Survey.

3 The second set of information that I relied on were
4 some independent population estimates that were being produced
5 at the time using the 2007 data by Dr. Rick Sitts. Dr. Sitts
6 uses a slightly different set of assumptions. He does include
7 corrections for size selectivity of the gear and some of the
8 other things. But using the 20 millimeter survey nine data,
9 Dr. Sitts estimated the population abundance of about a
10 million delta smelt. And I felt that that was within the same
11 order of magnitude as my estimate.

12 Dr. Sitts subsequently then also used the summer
13 townet data from survey three to recalculate his estimate of
14 abundance, calculating about 600,000, which was, again, close
15 to my 680,000 estimate. And the fact that we were getting
16 roughly the same numbers gave me some comfort.

17 I also provided my spreadsheets and my calculations
18 to Dr. Sitts and his staff so that they could independently go
19 through and validate the calculations and reproduce my
20 results. And we were able to do that within a small margin of
21 error.

22 I also relied on another set of independent estimates
23 made by Dr. V. J. Miller using the Summer Townet Survey. Dr.
24 Miller estimated from survey nine that the population
25 abundance at that time was about a million fish. And again,

1 that fell within the rough magnitude that I had also
2 calculated.

3 MR. WILKINSON: Your Honor, I'm going to offer into
4 evidence at this time State Water Contractor Exhibit J.

5 THE COURT: Any objection?

6 MR. WALL: Your Honor, counsel is moving into
7 evidence selected pages of Dr. Hanson's declaration without
8 the entirety of it. And I believe if he's going to do that,
9 it would make sense to just move in the entirety of it rather
10 than selecting pages for submission.

11 THE COURT: I understood that was going to be done at
12 some point, although there might have been redactions. Do you
13 want to just move in --

14 MR. WILKINSON: I'm happy to do that now, Your Honor.

15 THE COURT: -- Dr. Hanson's declaration with the
16 attached exhibits. The one advantage this does have, Mr.
17 Wall, is it keeps it focused exhibit by exhibit on what we're
18 being asked to look at. And if I don't have to read every
19 exhibit and every word, then that helps me in not having to
20 look at something that -- unless you want me to look at it.
21 You want me to look at the whole thing, I will.

22 MR. WILKINSON: Your Honor, I'm happy to do both. I
23 will go ahead and --

24 THE COURT: All right.

25 MR. WILKINSON: -- offer into evidence State Water

1 Contractor Exhibit F, which is Dr. --

2 THE COURT: Any objection to Exhibit F?

3 MR. WALL: No objection to that except that I am
4 concerned that this is Exhibit I perhaps or --

5 MR. WILKINSON: Well, I'm going --

6 MR. WALL: -- J. I'm sorry. Okay. I'm sorry. I
7 understand.

8 THE COURT: This is J. The one-page survey for
9 estimated population from the Summer Townet Survey. J is
10 received in evidence.

11 (Defendants' Exhibit SWC J was received.)

12 THE COURT: And then the whole declaration is marked
13 what?

14 MR. WILKINSON: The entire declaration, Your Honor,
15 is, for this exhibit, it comes as Exhibit 8 to State Water
16 Contractor Exhibit G, which is the declaration of August 13th.
17 I was going to move that in to evidence as well. So we'll
18 have three exhibits, the two declarations and then Exhibit J,
19 which I believe, Your Honor has --

20 THE COURT: Exhibit F. Any objection to Exhibit F?

21 MR. WALL: No objection.

22 THE COURT: Received in evidence.

23 (Defendants' Exhibit SWC F was received.)

24 THE COURT: Exhibit G. Any objection?

25 MR. WALL: No objection.

1 THE COURT: Received in evidence.

2 (Defendants' Exhibit SWC G was received.)

3 THE COURT: And then we've just received Exhibit J in
4 evidence. And it's the --

5 MR. WILKINSON: I think we're now current, Your
6 Honor.

7 THE COURT: Yes. It's the last exhibit that's
8 marked.

9 BY MR. WILKINSON:

10 Q. Dr. Hanson, apart from the determination that delta smelt
11 abundance -- or determinations, I should say, that you've
12 already described, are you aware of any other data from the
13 Summer Towner Survey that may bear upon the order of magnitude
14 abundance of delta smelt?

15 A. The Department of Fish & Game conducts multiple Summer
16 Towner Surveys at about two-week intervals. I used the data
17 through survey number three in preparing my estimates. Since
18 that time, the department has completed survey four and survey
19 five.

20 Q. When was survey five of the Summer Towner Survey
21 undertaken by the Department of Fish & Game?

22 A. I don't have the exact date, but it would have been in
23 early August.

24 Q. And would you describe for us what the preliminary results
25 are of survey five, as you understand it?

1 A. I can only briefly describe those. I saw the results of
2 survey five posted on the Fish & Game website for the first
3 time late yesterday afternoon. What those survey results show
4 is that the delta smelt that were collected occurred in two
5 locations within the estuary. There was a higher density of
6 delta smelt collected in the Lower Sacramento River adjacent
7 to Decker Island. And a smaller number of delta smelt
8 collected in Suisun Bay adjacent to Montezuma Slough.

9 Q. Based upon the results that you saw yesterday, would you
10 expect the current population abundance of delta smelt to be
11 higher or lower than your estimate from the Summer Townet
12 Survey number three?

13 A. When I -- I haven't done a population estimate to actually
14 quantify the difference. But just looking at the densities
15 and their distribution within the estuary, I would expect the
16 results from survey five to be substantial lower than the
17 estimates that I developed from survey three.

18 Q. Dr. Hanson, is there any record that you are aware of
19 State Water Project or Central Valley Project salvage during
20 the period after survey three and prior to and including
21 survey number five of the summer townet?

22 A. Yes. As part of State Water Project and CVP export
23 operations in compliance with the biological opinions, there
24 is ongoing salvage monitoring for all species of fish.

25 As part of the reporting, the US Bureau of

1 Reclamation Central Valley Project office publishes a daily
2 summary of the numbers of delta smelt that were collected in
3 the salvage operation separately at both the SWP and CVP as
4 well as publishing information on the rates of water diversion
5 at those two export facilities.

6 (Defendants' Exhibit SWC K was marked for
7 identification.)

8 BY MR. WILKINSON:

9 Q. All right. Dr. Hanson, I'm going to hand you a chart that
10 has been marked for identification as State Water Contractor
11 Exhibit K.

12 Dr. Hanson, have you seen State Water Contractor
13 Exhibit K previously?

14 A. Yes, I compiled Exhibit K.

15 Q. Can you tell us what it is intended to show?

16 A. What it's intended to show is a daily compilation of the
17 estimate of expanded salvage of delta smelt at the State Water
18 Project and Central Valley Project fish salvage facilities
19 from July 15th, 2007 through August 15th, 2007.

20 Q. And why did you pick those dates?

21 A. I picked those dates because July 15th occurred the day
22 after the completion of summer townet number three and I
23 picked the August 15th date because it included the early
24 August period of Summer Townet Survey number five.

25 Q. And if we look at Exhibit K. Can you tell us what it

1 shows in terms of the salvage by the state and federal
2 projects during that period of time?

3 A. What it shows is that there were expanded salvage
4 estimates for the State Water Project on four days, which
5 included July 15th, July 16th, July 17th and July 18th
6 totaling 39 delta smelt for the entire period from July 15th
7 through August 15th.

8 Correspondingly, there were no delta smelt reported
9 in the salvage for the CVP. And yet, during both of these
10 time periods, State Water Project exports were within the
11 range from approximately 5 to -- 5,000 cfs to 7500 cfs. CVP
12 export rates were typically about 4,400 cfs.

13 Q. You used the term "expanded salvage," could you describe
14 what that is?

15 A. As part of the salvage operations at both the state and
16 federal water project, it's impractical to collect and count
17 every single fish that entered the salvage facilities. And so
18 a protocol has been established over time for specific methods
19 that allow for the subsampling of a portion of the water
20 that's entering the salvage facilities. Based on the time
21 period and the proportion of water that is then sampled and
22 the corresponding number of actual fish that are collected, an
23 expansion factor is applied to account for the subsampling
24 procedures.

25 Once you've applied the expansion factor that's

1 referred to as expanded salvage and it's designed to reflect
2 the overall estimate of the total number of the given fish
3 species that would have been salvaged during that time period.

4 THE COURT: Is there a difference between this study
5 of salvage at the CVP and the estimates of take of smelt that
6 have occurred, including salvage, that were provided by the
7 plaintiffs for the same period? Because I understood the
8 number to be in the thousands.

9 THE WITNESS: The variation, Your Honor, in terms of
10 the numbers of fish that are reported in the salvage is very
11 highly dependent on the season of interest. Early in the
12 season, when we have lots of larval and early juvenile delta
13 smelt, their vulnerability to salvage is increased. Both
14 because of their geographic distribution as well as their
15 numeric abundance.

16 During the summer season, many of the delta smelt
17 have moved geographically down into the Suisun Bay area or
18 into the lower Sacramento River adjacent to Decker Island
19 where they're no longer vulnerable to SWP and CVP salvage.

20 So you need to look at the salvage records in terms
21 of the geographic distribution and the seasonal time periods.

22 THE COURT: Where could the figures the plaintiffs
23 are referring to be coming to? Aren't they salvage figures?

24 THE WITNESS: They are salvage figures. They were
25 from the same data source that I've used here, but they

1 reflected an earlier period.

2 THE COURT: How much of an earlier period?

3 THE WITNESS: I don't remember explicitly, but I
4 imagine that their salvage records, as did some of ours in my
5 declaration, extend back to April.

6 THE COURT: Well, I distinctly remember, you correct
7 me if I'm wrong, that there are salvage figures in the
8 thousands in late June and July going into early August that
9 the plaintiffs have presented. Can that be?

10 THE WITNESS: Unless it was for a much earlier year
11 when delta smelt are much higher abundance and in other
12 circumstances, it would be unlikely, Your Honor, that that
13 many delta smelt would show up in the salvage under today's
14 condition during the summer.

15 THE COURT: I remember in the 2000 plus range. Let
16 me ask Mr. Wall what he recollects of this.

17 MR. WALL: Your Honor, I'd be happy to find the
18 exhibit page. But I believe those salvage figures pretty much
19 stopped in mid July at the latest. And so the figures the
20 Court may be recalling would be from an earlier period, May,
21 June, and the beginning of July, when there are very high take
22 levels. This table begins in mid July after that take has
23 effectively began to end.

24 THE COURT: All right. Thank you.

25 MR. WILKINSON: I'm thinking, Your Honor, it might be

1 helpful, we haven't had a witness do this. But I would ask
2 Dr. Hanson, using Exhibit H map that we've previously admitted
3 into evidence, to take us through where the smelt are during
4 the course of a year's period of time. I wonder if you could
5 do that, Dr. Hanson.

6 THE WITNESS: I could. Can I approach the map?

7 THE COURT: You may.

8 BY MR. WILKINSON:

9 Q. Sure. Can you see the map from there, Your Honor?

10 A. I can see it. Can all counsel?

11 THE COURT: I can see it. Can all counsel see it?
12 Why don't you move it towards them a little bit. I can see
13 the top.

14 THE WITNESS: And I'll use 2007 as an example, Your
15 Honor.

16 THE COURT: Yes.

17 THE WITNESS: During the late spring and early
18 winter, prior to the 2007 spawning event, the majority of
19 sub-adult and pre-spawning delta smelt were concentrated in
20 the Lower Sacramento River in the vicinity of Decker Island,
21 which is shown on this exhibit around Station 704, 706 and
22 707.

23 THE COURT: That's area A4?

24 THE WITNESS: It's within my area A4, yes, sir.

25 THE COURT: Now, let me understand. You say it seems

1 to me to be quite a wide temporal variance. I heard you say
2 late spring and early winter. Now, early winter would be
3 December, I assume and late spring would be May?

4 THE WITNESS: I may have misspoken, Your Honor. The
5 Delta --

6 THE COURT: We can have the reporter check it.

7 THE REPORTER: That's what he said.

8 MR. WILKINSON: Why don't you clarify.

9 THE WITNESS: I'll clarify because I misspoke. The
10 delta smelt during the late summer, early fall time period,
11 say starting around September, begin an upstream movement from
12 the Suisun Bay area up into the upstream tributaries.

13 BY MR. WILKINSON:

14 Q. And what are those upstream tributaries, Mr. Hanson?

15 A. The Sacramento and San Joaquin River systems. In 2007,
16 during that fall time period the sub-adult pre-spawning adult
17 delta smelt were generally concentrated in the lower
18 Sacramento River in this area adjacent to Decker Island, the
19 Station 704, 706, 707 area. That would be the period from,
20 say, September through December or January.

21 Q. Dr. Hanson, you said 2007, did you mean --

22 A. This would be --

23 Q. 2006?

24 A. -- late fall of 2006.

25 THE COURT: 2006. All right. That's clearer. So

1 they -- in the late spring, you haven't told us yet where they
2 are in the early spring and late winter.

3 THE WITNESS: Right.

4 THE COURT: But they're in the area of A4 and A5,
5 which is the Sacramento River?

6 THE WITNESS: The Sacramento River is predominantly
7 in this area, in Section A4.

8 THE COURT: All right. Then they move to the A2-B,
9 A2-A, Suisun Bay area?

10 THE WITNESS: No, Your Honor. During the wintertime
11 period, they migrated upstream into the area adjacent -- in
12 this figure in A3, adjacent to Station 716, which is the Cache
13 Slough area.

14 THE COURT: We call that the north Delta or something
15 else?

16 THE WITNESS: That is the north Delta, Your Honor.
17 And at that time, the adult delta smelt were maturing and
18 beginning to congregate in the area where we think spawning
19 was to occur.

20 THE COURT: All right.

21 THE WITNESS: So we think that in 2007, in the
22 January --

23 THE COURT: January, February.

24 THE WITNESS: -- February time period, that the adult
25 delta smelt were concentrated here in the northern part of the

1 Delta. We think that they spawned in that area. The eggs,
2 which are adhesive, would have remained in that area while
3 they incubated. And then starting in about March, those eggs
4 would have hatched. And at the time they hatched, the larval
5 smelt are four, five, six millimeters in length. They're what
6 we refer to as ichthyoplankton. They passively drift
7 planktonically with the water. And they would have been
8 moving then downstream in the Sacramento River.

9 THE COURT: To the A4, A5 area?

10 THE WITNESS: To the A4 area. Some of those delta
11 smelt larvae would have then been transported further
12 downstream into the Suisun Bay area, Sections A2-B and the
13 Lower Sacramento -- or San Joaquin area around Sherman Island,
14 Section A5.

15 What we saw, Your Honor, in the spring of 2007, is
16 evidence that some of those larval delta smelt transporting
17 planktonically down the Sacramento River, entered the central
18 portion of the Delta through Three Mile Slough. And showed up
19 in the more central portion of the Delta.

20 THE COURT: And that is inhospitable as it gets to be
21 summer?

22 THE WITNESS: That is the area of the Delta that then
23 starts to be hydraulically influenced by the export
24 operations. So a larval delta smelt that enters this portion
25 of the central Delta is then vulnerable and at risk to being

1 subject to export losses as they pass through Old and Middle
2 River. That portion of the smelt population that successfully
3 migrates downstream into the Suisun Bay area is largely
4 outside of that zone of influence.

5 THE COURT: And from -- again, recognizing it's an
6 estimate, how is the population divided?

7 THE WITNESS: The population divides among these
8 different rivers differently from one year to the next. The
9 description I've given you is based on the 2006/2007
10 migrations.

11 There are other occasions, Your Honor, where delta
12 smelt sub-adults migrate up into the central part of the Delta
13 where spawning occurs. And under those circumstances, the
14 vulnerability of their offspring is substantially increased as
15 opposed to those in the Sacramento River.

16 Also the numbers of fish that come down through Three
17 Mile Slough is developed based on the Fish & Game's 20
18 millimeter surveys. And the number that come into that area
19 is influenced by the magnitude of water passing down through
20 the Sacramento River. It's influenced by the magnitude of
21 tidal exchange and interaction that occurs within Three Mile
22 Slough with respect to the lower Sacramento River. It's
23 influenced by the hydrodynamic conditions that occur within
24 the central Delta as influenced by both natural tidal events,
25 but also SWP and CVP export operations during the spring

1 months.

2 So a variety of factors influence their geographic
3 distribution --

4 THE COURT: And in '07, what's the estimate of the
5 population divide as part of the population moves to the west
6 and part of it stays or goes down Three Mile Slough?

7 THE WITNESS: To give you a really precise estimate,
8 Your Honor, I'd like to refer and review those data from the
9 Department of Fish & Game. But a rough estimate would be, oh,
10 possibly 75 percent moving down the Sacramento River and 25
11 percent or so moving in to Three Mile Slough.

12 The second path --

13 THE COURT: And can we -- let me just ask one more
14 question and finish that.

15 THE WITNESS: Yes.

16 THE COURT: Can we then reasonably predict that what
17 went into Three Mile Slough are all going to be killed?

18 THE WITNESS: No, Your Honor. Some of those fish
19 that come into Three Mile Slough, since they're still subject
20 to tidal action and they're still subject to the Delta
21 hydrodynamics, some of those fish are expected to move further
22 to the east and have greater vulnerability to the hydraulic
23 influence of the exports. But some of those fish are also
24 expected to move tidally to the west based on ebb tide and net
25 Delta outflow. And based on those circumstances, they would

1 have reduced risk.

2 THE COURT: And are there any flows -- we've been
3 talking about reverse flows in these rivers -- that extend
4 that far west or is that beyond the influence of the project
5 operations?

6 THE WITNESS: The flows that we have talked about to
7 date in these proceedings, Your Honor, have primarily focused
8 on flows in Old and Middle River and the reverse flow in that
9 region of the Delta. The influence of the exports, though,
10 extends beyond Old and Middle River as do the influences of
11 tidal action.

12 And so one of the other pathways, Your Honor, that
13 could occur is fish could successfully bypass Three Mile
14 Slough, but as they're coming around the tip of Sherman
15 island, if there were to be reverse flow in the Lower San
16 Joaquin River, there would be the potential on a tidal basis
17 for some of those fish to go from the Sacramento River around
18 Sherman island and into the Lower San Joaquin River. And that
19 would then put them, again, in an area where they would
20 potentially be vulnerable to moving either upstream or
21 downstream, depending on the hydraulic balance between exports
22 and tidal influence, San Joaquin River flow and the other
23 factors that influence their distribution.

24 THE COURT: But into areas of vulnerability?

25 THE WITNESS: It would be areas of vulnerability,

1 Your Honor.

2 THE COURT: All right. Thank you. You may resume
3 your seat.

4 BY MR. WILKINSON:

5 Q. Dr. Hanson, I'd like to resume the story of the 39 fish.

6 A. Yes.

7 Q. Do you have an explanation of why the SWP and CVP salvage,
8 over the period of time between the conclusion of Summer
9 Townet Survey number three and the conclusion of Summer Townet
10 Survey number five, was limited to 39 fish?

11 A. I think several factors influence that. The first thing
12 we would look at is were the exports substantially reduced
13 during that time period and that could help account for the
14 low number of delta smelt. And by review of these records,
15 it -- the state and federal water projects exported at
16 moderately high levels throughout this time period. So
17 reduced exports weren't the cause.

18 The second factor, when you look at the results that
19 were posted on the Fish & Game website last night, shows that
20 the geographic distribution of the smelt that were collected
21 during survey four and survey five were located either in the
22 Lower Sacramento River, near Decker Island, as shown on
23 Station 706 in this example, or further to the west in Suisun
24 Bay near Montezuma Slough in the general area shown by Station
25 602 in Section A2-B. Up. There you go. And with the

1 geographic distribution of smelt in those areas, they're
2 downstream and outside of the area that would be affected by
3 SWP and CVP export operations.

4 The third factor is that, as Dr. Swanson has
5 testified and others that looked at, delta smelt are a species
6 that are sensitive to exposure to elevated water temperatures
7 during the summer months. And it's during the summer, the
8 July and August and September time periods, that air
9 temperatures are high in the Delta and that water temperatures
10 are seasonally elevated in that area. And as a general rule,
11 we use about 25 degrees centigrade as an indicator of water
12 temperature conditions that would not be favorable for delta
13 smelt habitat.

14 MR. WILKINSON: Your Honor, at this point I will
15 offer State Water Contractor Exhibit K into evidence.

16 THE COURT: Any objection?

17 MR. WALL: No objection, Your Honor.

18 THE COURT: Exhibit K is received in evidence.

19 (Defendants' Exhibit SWC K was received.)

20 THE COURT: And do you agree that a fair range is the
21 25 to 28 degrees?

22 THE WITNESS: When we look at the delta smelt, Your
23 Honor, there's several ways to interpret those results. One
24 is under the laboratory conditions as to what temperatures
25 actually result in mortality. The second is what are the

1 temperatures in the Delta where we actually find delta smelt.
2 And we use the smelt as an indicator of their habitat or their
3 response to temperatures.

4 Now, it's not a cause and effect, Your Honor, it's a
5 distribution with respect to delta smelt and their
6 temperature. And what's shown on Exhibit L --

7 (Defendants' Exhibit SWC L was marked for
8 identification.)

9 BY MR. WILKINSON:

10 Q. Let me -- let me just indicate, Dr. Hanson, I've handed
11 you a histogram marked as State Water Contractor Exhibit L for
12 identification.

13 Do you recognize that document?

14 A. I do.

15 Q. Can you tell us what it is?

16 A. What it is, a histogram that we developed based on the
17 Department of Fish & Game Summer Towner Surveys. And as the
18 department does their surveys, and this is based on data
19 between 1973 and 2005, at each sampling site, they record the
20 water depth, the water temperature, the specific conductance
21 or indicator of salinity, as well as the Secchi disk. Then
22 they deploy their net and if they collect delta smelt, you can
23 then develop correlation between what the temperature was at
24 the sampling site and the numbers of delta smelt that were
25 subsequently collected.

1 And what I've plotted here is a histogram that shows
2 water temperature at the sampling sites that were occupied
3 versus the total number of delta smelt that were collected at
4 each of those individual water temperatures over the period
5 from 1973 through 2005.

6 A couple of important things from the histogram.
7 This encompasses years early in the period when delta smelt
8 abundance was relatively high. And so you can see that many
9 of these individual bars on the histogram represent two,
10 three, 4,000 delta smelt collected at a specific temperature.
11 So there's a lot of information, a lot of fish that were
12 included in these collections.

13 The second is that you can see that, as we come
14 towards water temperatures of 23, 24 and 25 degrees, the
15 numbers of delta smelt collected in these surveys decline
16 substantially. It's not completely eliminated. There still
17 are a very few delta smelt that have been collected in this
18 survey at temperatures above 25.

19 But review of this kind of information suggests that
20 from a practical standpoint, it serves as a useful indicator
21 of where delta smelt are likely to occur and where
22 they're -- if we can use water temperature as a surrogate for
23 looking at the potential risk of smelt.

24 Q. Dr. Hanson --

25 THE COURT: If we're looking at the 26 to 28 degree

1 Celsius range, what is your opinion? Do the fish survive in
2 that temperature range?

3 THE WITNESS: I think the fish do survive, Your
4 Honor. It depends on their acclimation temperature. It
5 depends on their food availability. They may be highly
6 stressed at those higher temperatures. But the results of the
7 work that Dr. Swanson has done in the laboratory suggest that
8 certainly under higher acclimation conditions, delta smelt do
9 have a higher thermal tolerance that can extend up to 28
10 degrees.

11 THE COURT: Thank you.

12 BY MR. WILKINSON:

13 Q. Dr. Hanson, from what we know about the location of the
14 fish currently, from what we know about the relationship
15 between smelt and temperature and from what we know about the
16 size of the fish and the numbers of fish that were salvaged
17 during the period between survey number three of the summer
18 townet and survey number five of the summer townet, do you
19 have an opinion as to whether the projects are the cause of
20 the decline that you've testified to in abundance between
21 survey number three and survey number five?

22 A. No. I testified that the decline in population abundance
23 between survey three and survive five, I think, is a
24 substantial level of decline. And if that were to have been
25 the result of SWP and CVP export operations, I would have

1 expected to have seen substantially more, thousands of delta
2 smelt showing up in the salvage during that time period. And
3 the fact that we didn't see that in combination with the
4 temperatures and the geographic distribution reported by Fish
5 & Game, it was my conclusion that the salvage operations did
6 not -- were potentially a contributor, but were not the cause
7 of the decline between survey three and survey five.

8 Q. Do you have an opinion as to what the possible cause of
9 decline between survey three and survey number five would be?

10 A. We really don't know the specific factors that might have
11 caused that apparent decline. But, you know, a variety of
12 factors influence delta smelt at that point. They're
13 vulnerable to predation mortality. There are concerns with
14 respect to food availability and the ability of delta smelt to
15 successfully forage adequately to grow and survive over the
16 summer months.

17 But there's anecdotal information -- and I haven't
18 looked at any reports or any details, but anecdotally, I've
19 been told that there was evidence of potential toxicity in
20 Suisun Bay during the summer months.

21 Q. Do you have any idea what the source of that toxicity
22 might be?

23 A. I don't.

24 Q. Given the lower catch numbers, Dr. Hanson, that were
25 indicated by survey five in the Summer Towner Survey and the

1 likely lower population abundance that you believe would
2 result from those numbers, do you have an opinion of whether
3 operation of state and federal projects pursuant to the Fish &
4 Wildlife Service matrix would cause jeopardy to the smelt?

5 A. I do have an opinion and I think under these lower
6 population abundance levels, the action matrix put forward by
7 the Fish & Wildlife Service still has the opportunity and
8 would still be effective in reducing jeopardy of the delta
9 smelt.

10 The two caveats to that, though, is that as the
11 population of delta smelt declines as suggested by the most
12 recent surveys, greater attention would need to be placed on
13 the triggers that are used to move from one range within the
14 service's matrix to another as we see changes in the
15 distribution of the delta smelt population continuing to
16 inhabit the estuary.

17 The second is that with the lower numbers of delta
18 smelt in the population, it would strongly urge that more
19 protective actions, hence operating at the lower end of the
20 ranges of Old and Middle River flows, would be an appropriate
21 action in the event that there's evidence that delta smelt are
22 at risk of salvage mortality.

23 Q. Is it your understanding, Dr. Hanson, that the Fish &
24 Wildlife Service action matrix accommodates both of those
25 concerns and caveats that you described?

1 A. It does in the sense that Fish & Wildlife Service matrix,
2 for example, as I remember, has Old and Middle River flows
3 ranging from zero to minus 4,000 cfs. And so there are
4 opportunities to adjust their actions to accommodate the risk
5 of delta smelt in any given period, based on the most recent
6 information available, and also to provide operations that are
7 more protective for delta smelt by preferentially operating
8 towards the lower end of their operational range as purported
9 in their matrix.

10 Q. Let me ask you the same set of questions with regard to
11 the impact upon critical habitat. Given the low numbers that
12 we appear to be seeing as a result of the survey five, do you
13 have an opinion whether operation of the two projects, in
14 accordance with the Fish & Wildlife Service matrix, would
15 adversely affect critical habitat from delta smelt?

16 A. No. I don't believe that it would adversely impact
17 critical habitat during the period that their measures are in
18 place. They do have controls on Old and Middle River reverse
19 flows. Those would be, we think, beneficial in terms of
20 hydrodynamics of the south and central portions of the Delta.

21 At these low population abundance levels, we have no
22 reason to believe that habitat availability is a limiting
23 factor for delta smelt. We appear to have adequate volume of
24 habitat, particularly at these low levels, that it doesn't
25 appear that habitat in and of itself would be a limiting

1 factor on carrying capacity.

2 Now, other factors like food availability may
3 influence how delta smelt use the estuary. They may
4 preferentially select areas such as the Lower Sacramento River
5 near Decker Island, for a variety of reasons, including food
6 availability. But I think the action matrix would not
7 adversely impact habitat quality in those areas of the Delta.

8 MR. WILKINSON: Your Honor, at this time I would like
9 to offer Exhibit -- State Water Contractor Exhibit L into
10 evidence.

11 THE COURT: Any objection?

12 MR. WALL: No objection.

13 THE COURT: Exhibit L is received in evidence.

14 (Defendants' Exhibit SWC L was received.)

15 BY MR. WILKINSON:

16 Q. Dr. Hanson, were you here when Dr. Tina Swanson testified?

17 A. Yes, I was.

18 Q. And did you hear Dr. Swanson's testimony regarding the
19 reliability of your population abundance estimates?

20 A. Yes, I did.

21 Q. Did you hear Dr. Swanson say that you do not have
22 confidence intervals with your estimate, but Dr. Bennett does?

23 A. I do remember that testimony.

24 Q. Is there a reason why you did not include confidence
25 intervals in your estimates of the order of magnitude

1 abundance of delta smelt?

2 A. Yes. When I produced these estimates, what I was looking
3 at is the order of magnitude estimate for individual surveys
4 conducted, for example, during the 20 millimeter survey within
5 the 2007 period. When Dr. Bennett did his estimates, he used
6 the same approach that I used. But he calculated the
7 independent estimates for each survey. But because Dr.
8 Bennett was more interested in looking at the comparison of
9 the estimates of population abundance over time, he then
10 combined all of those surveys within a year, calculated the
11 estimate for the year and the associated confidence intervals.

12 So when Dr. Bennett was presenting his information in
13 his publication in 2005, he presented an estimate for one
14 population estimate plus its confidence intervals for a year
15 for each individual survey and then compared those over time.
16 That wasn't the approach nor the purpose of my estimates.

17 Q. To your recollection, Dr. Hanson, did Dr. Bennett include
18 any confidence intervals for any of the population abundance
19 estimates that he developed using data from a single survey?

20 A. Dr. Bennett in his 2005 report does not present any
21 results from a single survey.

22 Q. Do you -- Dr. Hanson, do you believe your estimates of the
23 order of magnitude of delta smelt population abundance are
24 based on the best scientific data?

25 A. I believe they are based on the best scientific data.

1 That's certainly not to imply that they can't be improved and
2 refined as we've talked previously. But I think they are
3 based on the best available data and I think they do provide a
4 reasonable context for evaluating how we should proceed with
5 various operational decisions during the interim period.

6 Q. Earlier, Dr. Hanson, you described the factors you used in
7 making your evaluation to Fish & Wildlife Service action
8 matrix --

9 THE COURT: Before you ask that question, let me ask
10 a question.

11 MR. WILKINSON: Yes, sir.

12 THE COURT: How do you then explain the difference
13 between the opinions you've just expressed and the opinions
14 Dr. Swanson expresses about the effect of project operations
15 on the delta smelt?

16 THE WITNESS: I don't think Dr. Swanson and I
17 disagree substantially that project operations contribute to
18 the cumulative impacts that have occurred on delta smelt,
19 through both direct entrainment and salvage at the export
20 facilities as well as their effects on hydrodynamic conditions
21 within the estuary.

22 I think the difference, Your Honor, is that I was
23 focusing on a very short time period associated with the
24 interim remedy period. 12 to 18 months.

25 THE COURT: 12 to 18 months.

1 THE WITNESS: I was not concerned, nor did I include
2 in my analysis any kind of a trend over time to be able to
3 suggest that smelt are doing better or worse.

4 THE COURT: Understood. But if dry conditions
5 continue and the projects operate, in effect you're
6 taking -- or you have the Court take your opinion to -- and as
7 I've heard it, there isn't any jeopardizing effect of the
8 operations of the projects. And so there doesn't need to be
9 any remedy, there doesn't need to be any adjustment or any
10 kind of addressing of what is happening as a result of
11 exports, the direct salvage and entrainment.

12 THE WITNESS: Okay. No, Your Honor, that's not my
13 opinion.

14 THE COURT: It isn't? Well, then you need to express
15 your opinion on that subject so I can clearly understand it.

16 THE WITNESS: My opinion on that subject is that the
17 delta smelt require protection during this interim period.
18 That protection can best be afforded through modifications of
19 export operations at both the SWP and CVP.

20 That within the context of the Fish & Wildlife
21 Service matrix, they have the ability to modify those export
22 operations over the range from zero reverse flow in Old and
23 Middle River up to minus 4,000 cfs.

24 And my opinion, Your Honor, is that if they exercise
25 their judgment appropriately and the projects are managed

1 within that range, which means that if delta smelt are at risk
2 of salvage, we should be operating near the low end, the zero
3 end of that range. Under those circumstances, I think these
4 approaches do provide a level of protection for delta smelt
5 that will allow them to get through the interim period.

6 If you were to ask me could they simply close their
7 blinders and operate at minus 4,000 or minus 6,000 throughout
8 this period and avoid jeopardy, my answer would be no.

9 THE COURT: Perhaps the way it has been done.

10 THE WITNESS: The way it has been done in the past, I
11 believe, has contributed to impacts to the population and to
12 their habitat that are trying to be addressed during this
13 critical period in the delta smelt population through these
14 measures.

15 BY MR. WILKINSON:

16 Q. So Dr. Hanson --

17 THE COURT: And you can not answer this question, but
18 you're an observer. I want to ask the operator directly. But
19 what is -- from your observation, what is the reason that
20 measures have not been taken to attempt to avoid the jeopardy
21 of the species and to prevent the depravation of the habitat?
22 Can you offer, without speculating, any professional opinion
23 as to why the operators have been unable to either modify
24 operations or to address the problem of the decline of the
25 smelt?

1 THE WITNESS: I think, Your Honor, that the
2 operations of the projects to date has been a balancing
3 between competing needs of fishery protection, not only for
4 delta smelt, but for other species, and water supply
5 deliveries and reliability.

6 In the past, when balancing those competing
7 interests, I think the fact that delta smelt were at higher
8 levels of abundance than they occur today, that they had a
9 geographic distribution that appeared to be more robust than
10 it does today. I think in many of those instances, the
11 balancing then said we have adequate levels of protection and
12 we can weigh our decisions with respect to operations in
13 meeting our other requirements for water supply deliveries and
14 reliability.

15 I think what's changed, Your Honor, is that the delta
16 smelt population has now declined to a level where that
17 balance between those competing interests is weighted
18 differently than it has in the past.

19 THE COURT: And you don't think that -- let's just
20 take the last three years, that the 2003 to 2006 -- through
21 2006 period, that the conditions were clear enough, in terms
22 of their critical nature, that action would have been called
23 for?

24 THE WITNESS: No, I think actions were called for,
25 Your Honor, during that time period. And as evidenced by the

1 operations that occurred this past year --

2 THE COURT: This was because the case was in court.

3 THE WITNESS: Well, I think it was in part that, but
4 I think it's also in part that there's been -- from my
5 perspective only, and my opinion only, I think there's been a
6 growing sensitivity towards the condition of delta smelt. I
7 think there's been a growing sensitivity that we need to
8 provide higher levels of protection than have occurred in the
9 past. And I think that has influenced decision makers with
10 respect to how they approach these issues.

11 THE COURT: Thank you. You may continue.

12 MR. WILKINSON: Thank you, Your Honor.

13 (Defendants' Exhibit SWC M was marked for
14 identification.)

15 BY MR. WILKINSON:

16 Q. Dr. Hanson, I am going to hand you an exhibit that has
17 been marked for identification as State Water Contractors
18 Exhibit M.

19 Do you recognize that document?

20 A. Yes, I do.

21 Q. Would you tell us what it is, please?

22 A. What it is is a brief overview of the three tiered
23 approach that I proposed in my July declaration representing
24 some modifications and refinements to the approach that had
25 been proposed by the Fish & Wildlife Service.

1 Q. Is this a document that emerged from your analysis of the
2 Fish & Wildlife Service matrix?

3 A. Yes, it did.

4 Q. Can you tell us then what this document shows, please?

5 A. What it shows is that we have proposed a three tier
6 approach to addressing the interim actions associated with SWP
7 and CVP export operations. The time period that this would be
8 in effect extends from December 1st through June 30th. The
9 tier one actions are designed to maintain hydrodynamic
10 conditions within specific portions of the estuary that would
11 be more favorable, we feel, to moving delta smelt and keeping
12 delta smelt further downstream in an area away from the
13 influence of the SWP and CVP exports. And hence a reduction
14 in their vulnerability to export related losses.

15 The second tier would be triggered if we find
16 evidence that delta smelt are geographically in the area where
17 they would have increased vulnerability. It's a refinement to
18 the matrix that the Fish & Wildlife Service put forward. But
19 contains the same basic principals, it's based on the same
20 basic hydrodynamic concerns about Old and Middle River flows,
21 but has some refinements.

22 And tier three is, in essence, the ultimate level of
23 protection. And that's in the event that all else fails and
24 we find that there is an unacceptable level of take of delta
25 smelt at the SWP or CVP export facilities, that there be an

1 immediate curtailment of their operation until such time as we
2 can reconsult, look at the data and make decisions as to
3 whether or not that curtailment needs to continue or could be
4 evaded.

5 Q. Dr. Hanson, I'd like to focus first on your tier one
6 measures. What are those supposed to do? How do they work
7 and what do they consist of? And if it helps to use the map,
8 please do so.

9 A. Please. Our tier one measure is really aimed at providing
10 a net positive downstream flow, sometimes referred to as Q
11 west, in the lower San Joaquin River in the vicinity of the
12 Jersey Point. But it also has hydrodynamic influences on the
13 water movement into Three Mile Slough from the Sacramento
14 River.

15 And if I can approach the map, I'll -- one of the
16 things that we have observed in the past is that in those
17 years when we have wet hydrologic conditions and we have
18 increased flow of water passing downstream from the
19 tributaries through the Delta, we tend to have lower risk of
20 delta smelt being entrained at the SWP and CVP export
21 facilities.

22 And part of the physical process that we think is in
23 play here is that when we have a net positive flow in this
24 lower part of the Delta, that provides the transport mechanism
25 for moving planktonic larval delta smelt further downstream

1 into Suisun Bay and away from the influence of the pumps.

2 The second part of our physical process occurs during
3 that December, January, February time period. Some of the
4 results of analyses done by David Fullerton from the
5 Metropolitan Water District and others has shown an apparent
6 correlation or prediction between the occurrence of elevated
7 turbidity within portions of the estuary and the movement of
8 sub-adult pre-spawning adult delta smelt into the interior
9 Delta where they would be more vulnerable to being lost as a
10 result of export operations.

11 And so to the extent that we can provide more of a
12 net positive flow, we can reduce hopefully the insurgence of
13 elevated turbidity water, say, from the Sacramento River
14 through Three Mile Slough. We can reduce the possible
15 incursion of higher turbidity water in the Lower San Joaquin
16 River in the vicinity of Sherman Island.

17 And specifically during the spring period, when the
18 larval smelt are being transported, we can provide greater
19 transport mechanisms to bring those larval fish, in this
20 example, from Cache Slough down the Sacramento River, avoid,
21 to the extent possible, having them come into Three Mile
22 Slough and move those larvae down here into the higher
23 productive more shallow areas where they would be not only
24 having better habitat, the low salinity X2 located in this
25 area, but they would also be further to the west and have

1 reduced vulnerability to the effects of the export operations.

2 So the fundamental principle of our tier one is to
3 create the hydrodynamic conditions that are conducive to
4 moving the fish further away from the pumps, reducing the risk
5 that they will be influenced directly by SWP and CVP export
6 operations, and therefore prevent the kinds of episodic take
7 that has occurred in the past.

8 THE COURT: And is the water turbid because it's in
9 the reverse flow stage?

10 THE WITNESS: No. That's part of it, Your Honor.
11 But part of it, too, is that, for example, if we have
12 sub-adult delta smelt positioned here in the Lower Sacramento
13 River at, say, Station 706, and during that January or
14 February or March time period, there's a substantial storm in
15 the Sacramento River watershed, we get a lot of storm water
16 runoff and that results in a short-term increase in turbidity.

17 If that turbid water from the Sacramento River then
18 comes down and passes into Three Mile Slough, some of those
19 delta smelt we feel may actually cue on that, move into that
20 area and then subsequently move into the area of increased
21 vulnerability.

22 BY MR. WILKINSON:

23 Q. The smelt respond positively to turbidity increases; is
24 that correct?

25 A. What we've seen are -- and this is really attributed to

1 the work that Dave Fullerton has done. Is that the smelt seem
2 to follow the turbidity within the estuary. And that's based
3 on looking at the distributional patterns of delta smelt,
4 looking at turbidity events and looking at how the fish
5 respond to those events.

6 But it's corroborated by an interesting piece of
7 evidence from the delta smelt root stock program and the
8 hatchery effort. And that's that delta smelt that are held in
9 purely clear water don't appear to feed very well. The delta
10 smelt that appear to feed the best and do the best are delta
11 smelt that occur in slightly turbid water. And so if we have
12 increased turbidity, there may be a mechanism that's helping
13 us explain why there's a change in their geographic
14 distribution.

15 Q. Your measure one or your tier one measure, I should say,
16 Dr. Hanson, commences December 1; is that right?

17 A. That's correct.

18 Q. That's about a month earlier than the initial actions
19 under the Fish & Wildlife Service matrix. Why do you have the
20 December 1 start date?

21 A. I extended it to December 1 based on some discussions that
22 I had with Dave Fullerton, who said that some of the evidence
23 that he was reviewing showed that some of these delta smelt
24 movement events could occur during the winter prior to
25 December 25th. And we felt that by moving it back to December

1 1st, it would be more protective in that regard.

2 Q. Do you recall the testimony of Dr. Swanson that your
3 proposal to maintain a net positive downstream flow doesn't
4 correspond with any environmental variable?

5 A. I do remember that testimony.

6 Q. Do you agree with that?

7 A. I agree with Dr. Swanson that we have not tried to put
8 forward any kind of a statistical relationship between the
9 magnitude of reverse flows in the Lower San Joaquin and
10 salvage at the export at facilities or any type of an analysis
11 like that.

12 We approach this from a different perspective. We
13 approach this not from the standpoint of looking for
14 statistical relationship, but rather looking at the physical
15 processes and the hydrodynamics that may influence the
16 geographic distribution of delta smelt at different
17 lifestages.

18 And so it's not surprising to me that we don't have
19 statistical correlations. We've looked at particle tracking
20 modeling. We've looked at the information from Dave
21 Fullerton's investigations. We've tried to identify the
22 underlying physical factors that would provide benefit to the
23 delta smelt.

24 THE COURT: And if the smelt, then, move and have the
25 ability to be affected by the flows that are in, I'm going to

1 call it the Delta system, why are you doing nothing from
2 September to December where Dr. Swanson thinks that that's a
3 crucial time for, in effect, I think facilitating the growth
4 and protection of the young smelt?

5 THE WITNESS: Well, Your Honor, we have seen that
6 during that September through December period, that in the
7 past the majority of sub-adult delta smelt have been residing
8 in the lower Sacramento River near Decker Island. It appears,
9 for whatever reason, and there are investigations underway why
10 that habitat seems to be preferred. Whether it be the
11 hydrodynamics of the area based on the relative balance of
12 tidal influence versus Sacramento River flow. Maybe it's food
13 availability.

14 But we haven't seen any evidence from those
15 investigations that there would be a substantial improvement
16 in delta smelt habitat during the fall if we manipulated
17 salinity regimes to a specific X2 location, for example, at
18 Kilometer 80. We've looked at the information that Dr.
19 Swanson cited, some of the Gurein analyses that were done by
20 the Contra Costa Water District, as well as the Feyrer
21 analyses.

22 We've considered that information in terms of, you
23 know, how comfortable we and the authors are with using that
24 as the basis for a management action. And we don't discount
25 that at all, Your Honor, as something that should receive

1 further investigation and scrutiny as we go forward.

2 THE COURT: But you don't think it's necessary?

3 THE WITNESS: But right now, I don't think it's
4 necessary.

5 THE COURT: Because?

6 THE WITNESS: Because two things. We do have a lot
7 of habitat in this area of the Delta that are -- is occupied
8 right now by delta smelt. And we haven't seen any indication
9 that that habitat area is limiting in terms of its volume,
10 especially at these lower population levels. As well as some
11 of the analyses that Feyrer has put forward that we'll discuss
12 further.

13 THE COURT: And the second reason?

14 THE WITNESS: Pardon me?

15 THE COURT: Two reasons, I thought.

16 THE WITNESS: Oh, no, those are -- that's the primary
17 reason.

18 THE COURT: All right. You may continue.

19 BY MR. WILKINSON:

20 Q. Dr. Hanson, you mentioned that you would use Particle
21 Tracking Model as part of your evaluation; is that right?

22 A. That is correct.

23 Q. Were Particle Tracking Model studies performed under your
24 direction and control as part of the formulation of your tier
25 one measure?

1 A. Yes, they were. We had the advantage of having some
2 really good hydrodynamic modeling capability available to us
3 as a resource. And so in investigating the various proposals
4 that were being put forward, we used not only the CALSIM II
5 model to look at the system at a gross level and how it
6 responds in different water year types and under different
7 conditions, but we also had the ability to use the more
8 refined DSM II model and the Particle Tracking Model as
9 additional tools to look at these hydrodynamic conditions.

10 Q. Is the Particle Tracking Model used by fishery scientists
11 working in the Delta?

12 A. It is.

13 Q. And when you used it for your purposes, what did it show?

14 A. Well, what it showed is that if you maintain an
15 appropriate level of positive net flow coming through the
16 Lower San Joaquin River -- and that's the area here near
17 Sherman Island, Station 804, and the associated hydrodynamic
18 effects.

19 THE COURT: That's no negative flow?

20 THE WITNESS: That's no negative flow at that
21 location, Your Honor.

22 THE COURT: So it's minimum of zero.

23 THE WITNESS: It would be a minimum of zero in terms
24 of providing -- what occurs, Your Honor, is that that
25 location, the tidal influence moves back and forth. So you're

1 not always moving downstream. But on a net basis, you don't
2 want the water to move upstream. It will move upstream on the
3 flood tide and downstream on the --

4 THE COURT: So you have to push water downstream to
5 achieve that?

6 THE WITNESS: You would. And in pushing water
7 downstream to achieve that, our hope and what the Particle
8 Tracking Model shows is that you're also moving these
9 particles further to the west and outside of the zone of
10 influence.

11 BY MR. WILKINSON:

12 Q. Did you hear Dr. Swanson's testimony, Dr. Hanson, that the
13 Particle Tracking Model cannot be used for delta smelt larvae?

14 A. I did hear that and --

15 Q. Do you agree?

16 A. I disagree with Dr. Swanson. I think that the Particle
17 Tracking Model can be used for looking at the movement of
18 planktonic particles representing larval delta smelt as well
19 as representing some of the other constituents like turbidity.

20 And I think that in Dr. Swanson's testimony, my
21 feeling is that she and I agree that -- and I don't want to
22 speak for Dr. Swanson. But the Particle Tracking Model is
23 best suited for looking at things like turbidity, for
24 residence time, for larval fish distribution.

25 Where the Particle Tracking Model becomes to be more

1 uncertain is whether or not it accurately reflects the
2 movement of juvenile and adult delta smelt that have more
3 volitional behavior.

4 And many of the hydrodynamic modelers are in the
5 process right now of modifying the Particle Tracking Model to
6 be able to instill in the particles themselves the ability to
7 regulate neutral positive and negative buoyancy to try and do
8 a better job of dealing with some of the behavioral elements
9 that we've talked about.

10 THE COURT: What's the relationship between the
11 Particle Tracking Model and turbidity? Just the manner in
12 which water causes the particles to react?

13 THE WITNESS: It is, Your Honor. That --

14 THE COURT: That reveals something of significance?

15 THE WITNESS: The particles that create the turbidity
16 are typically very fine colloidal clays and fine material.
17 And they would move, we think, very much the way a neutrally
18 bouyant particle would move. The larger sediments certainly
19 drop to the bottom and would not be represented by this. But
20 for turbidity, it serves, I think, as a useful tool.

21 Again, it needs to be used in balance. You can't say
22 it's absolutely going to be like this. It's simply a tool
23 that provides a better indicator.

24 THE COURT: But it has a limited span of usefulness
25 to the size of the fish?

1 THE WITNESS: It does. As the size of the fish get
2 larger, they become more volitional, they are selecting
3 habitat. They no longer meet the assumption of being a
4 neutrally bouyant particle.

5 THE COURT: Would that be in July?

6 THE WITNESS: That would be starting in June and July
7 and continuing through the remainder of the fall and winter.

8 THE COURT: So that's when you would not be using the
9 Particle Tracking Model?

10 THE WITNESS: You would use the Particle Tracking
11 Model during those time periods, but limiting your analysis to
12 looking at two things. One is how do the flows in the Delta
13 change between the baseline and under your proposed condition.
14 Because that change in hydrodynamics would influence habitat
15 conditions, we think, for delta smelt.

16 And the second is, as we've talked already, it's
17 appropriate to be able to use that during that wintertime
18 period for looking at turbidity events and where you would
19 expect these turbidity particles to be distributed.

20 THE COURT: Thank you. You may proceed.

21 MR. WILKINSON: Thank you, Your Honor.

22 Q. Dr. Hanson, would you describe for us your tier two action
23 and describe for us when it would it commence, what would
24 trigger your tier two action and what it consists of?

25 A. Yes. And let me backtrack a little bit because it's

1 important to put tier two into context with tier one. Tier
2 one is a preventative action. It's designed to provide the
3 best hydrodynamic conditions that we think would benefit delta
4 smelt.

5 We've talked already about using the Particle
6 Tracking Model and we talked about the fact that there's some
7 uncertainty associated with that action, particularly of
8 whether the Particle Tracking Model accurately reflects the
9 movement of juvenile or sub-adult or adult delta smelt.

10 And so recognizing that uncertainty, particularly
11 beginning in the wintertime period, we felt it appropriate to
12 have a second layer of protection and that second layer of
13 protection is the implementation of tier two.

14 Q. So in other words, if your tier one measure doesn't
15 achieve the results that you hope for, tier two would kick in
16 at that point?

17 A. It would. The purpose of tier one, as we've talked, is to
18 keep delta smelt out of harm's way in terms of their
19 geographic distribution. If we see evidence that it's not
20 achieving that objective and that we do have delta smelt that
21 are now moving into an area of greater risk, then tier two
22 would be triggered.

23 Q. And what does tier two consist of?

24 A. Tier two consists of a modification of the action matrix
25 put forward by the US Fish & Wildlife Service. It's

1 specifically designed to regulate reverse flows in Old and
2 Middle River. We did make some modifications in terms of the
3 range of those operations. But fundamentally, it's based on
4 the same principles as put forward in the agency proposal.

5 Q. So you agree that there is a relationship then between
6 salvage and reverse flows in Old and Middle River?

7 A. I have looked at a variety of different data sets. I've
8 looked at salvage data. I've looked at hydrodynamic data.
9 And it's my firm believe that there is a relationship there
10 between the magnitude of reverse flows and the vulnerability
11 of delta smelt to salvage.

12 Q. Was one of the things that you looked at in developing
13 your tier two measure, Dr. Hanson, the work that Pete Smith,
14 Dr. Pete Smith of the US Geological Survey had done?

15 A. I did review the results of the regression analyses
16 developed by Dr. Smith.

17 Q. Were you aware, at any time, that there was a re-analysis
18 of that data that Dr. Smith had used to develop his
19 relationship that was underway at the Department of Water
20 Resources?

21 A. I was. Dr. Smith presented his original relationships in
22 a CALFED workshop and it gained great notoriety and interest
23 among the scientific community working on these issues. It
24 shed new light on an approach and some modeling and
25 statistical results that we all found intriguing and wanted to

1 Look into more.

2 Jerry Johns at the Department of Water Resources, my
3 understanding, asked Sheila Greene, another staff member at
4 DWR, to try and replicate the results of Dr. Smith's original
5 analysis, and to also carry those analyses further in terms of
6 looking at the underlying relationship between Old and Middle
7 River flows and salvage of delta smelt during the winter
8 period.

9 Q. Is Sheila Greene a biologist?

10 A. Sheila Greene is, I believe, a biologist. But she
11 primarily deals with the compilation and analysis of
12 hydrodynamic and biological data. Sheila has served on a
13 number of committees with me, including the NOAA Salmonic Fish
14 Recovery Team.

15 THE COURT: And is Dr. Hanson intending to discuss
16 the physical --

17 THE WITNESS: Yes.

18 THE COURT: -- quantity of what it's going to take to
19 do this, to implement the tiers?

20 MR. WILKINSON: Yes. We will explain how the tiers
21 were developed.

22 THE COURT: You're doing that now.

23 MR. WILKINSON: Yes.

24 THE COURT: I'm talking about what it's going to it
25 take to effectuate them.

1 MR. WILKINSON: In terms of water or --

2 THE COURT: Yes. And anything else that is required.

3 MR. WILKINSON: I believe Dr. Hanson has an idea of
4 the impact in terms of water supplies relating to his
5 measures. Our thought was that those would be questions we
6 would ask of John Leahigh, who is a hydrologist for the
7 Department of Water Resources.

8 THE COURT: All right. Well, I'm -- my question is:
9 Are we going to, at some point before Friday, put side by side
10 the three proposed actions and exactly what it's going to take
11 to implement them?

12 MR. WILKINSON: Yes, Your Honor, I believe we are. I
13 believe, again, that would be through Mr. Leahigh from DWR.

14 THE COURT: All right. Let's take the noon recess at
15 this time. We'll stand in recess until 1:15.

16 (Lunch recess.)

17 THE COURT: Good afternoon, ladies and gentlemen.
18 Please be seated. Going back on the record in NRDC versus
19 Kempthorne. We're going to continue the testimony of Dr.
20 Hanson. Mr. Wilkinson.

21 MR. WILKINSON: Thank you, Your Honor.

22 Q. Dr. Hanson, before we broke for lunch, we were beginning
23 to talk about the work that DWR had done and I'm going to ask
24 you a couple of questions about that. But before we do, I
25 want to make sure that we had offered into evidence State

1 Water Contractors Exhibit M, which is your chart showing your
2 tier one, tier two and tier three matrix.

3 THE COURT: Is it in evidence?

4 THE CLERK: It's not in evidence.

5 THE COURT: Are you offering it?

6 MR. WILKINSON: I am.

7 THE COURT: Any objection?

8 MR. WALL: No objection.

9 THE COURT: Exhibit M is received in evidence.

10 (Defendants' Exhibit SWC M was received.)

11 BY MR. WILKINSON:

12 Q. Dr. Hanson, the DWR, I think we call it re-analysis of
13 data. And you were indicating before the break that it was
14 Sheila Greene at DWR who had done that analysis. Is it your
15 recollection that the Sheila Greene re-analysis disclosed some
16 concerns about the Pete Smith work?

17 A. Yes, it did.

18 Q. And what were those concerns?

19 A. I had an opportunity to meet with Sheila to talk about her
20 analysis of some of the reverse flow versus salvage data. And
21 several of the things that we talked about -- one has come up
22 already in this proceedings, and that was the way the data
23 were treated when reverse flows in Old and Middle River were
24 positive.

25 The second thing that she and I talked about were the

1 time intervals for averaging Old and Middle River flows as
2 well as averaging the delta smelt salvage for use in looking
3 at these two different relationships.

4 Q. Can you elaborate a little bit about the second concern.
5 I think we've had testimony previously about the zero point
6 problem; is that --

7 A. That's correct.

8 Q. What's about the second problem?

9 A. The second problem has to do with a choice with whether
10 you analyze the data averaging over the January through
11 February period, as Dr. Smith did, or whether or not you
12 segregate your analysis separately by some other time period.
13 And in the re-analysis that Sheila Greene did, she separated
14 it based on calendar months. So she presented a result for
15 January and a separate analysis for February.

16 Q. Dr. Hanson, I have marked a graph as State Water
17 Contractors Exhibit N and I'd like to hand that to you.

18 (Defendants' Exhibit SWC N was marked for
19 identification.)

20 BY MR. WILKINSON:

21 Q. Have you seen that document before?

22 A. Yes, I have.

23 Q. Can you tell us what it is?

24 A. This is one of the two graphics that Sheila Greene
25 prepared based on her re-analysis. This shows the average

1 January Old and Middle River flows between 1993 and 2006
2 versus the sum of January adult delta smelt salvage between
3 January 1993 and 2006. It's curvilinear regression. Sheila
4 also prepared a similar analysis using the data from February.

5 Q. Is this the same data that appeared in the Smith graph
6 that we discussed a couple of days ago?

7 A. This is basically the same type -- it's the same data,
8 yes, just analyzed in a different way.

9 Q. Would you explain, please, how you used this graph
10 developed by Ms. Green in connection with the development of
11 your tier two measure?

12 A. Well, what we were looking for is what would be the
13 relationship between Old and Middle River flows, reverse
14 flows, and the risk or magnitude of salvage of delta smelt
15 during this wintertime period. And Dr. Smith's analysis
16 showed a linear regression as presented by Dr. Swanson.

17 The analysis that was done by Sheila Greene showed,
18 in essence, a stepped function with a threshold where there's
19 very little difference in delta smelt salvage at both positive
20 reverse flows in Old and Middle River and as reverse flows
21 become more negative, as evidenced by the 1999, 1998, 1994 and
22 2001 data points.

23 What I used this for was to try and identify that
24 hydrodynamic threshold above which delta smelt salvage
25 increases markedly. And based on this relationship, what I

1 concluded was that when reverse flows exceed minus 6,000 cfs,
2 which is one tick to the left of the minus 5,000 number, that
3 from that point on the salvage of delta smelt increases
4 markedly with increasing magnitude of reverse flow.

5 Q. Why did you use Ms. Green's graphical presentation of the
6 data instead of Dr. Pete Smith's?

7 A. Well, I considered a couple of things. One is I
8 considered the statistical results of the two different
9 analyses. In this case, Sheila Greene's re-analysis had a
10 very high R-squared and was, you know, statistically
11 significant. Dr. Smith's linear regression was also
12 statistically significant, but had a slightly lower R-squared.
13 I considered the issue that we've talked about already is how
14 the data are portrayed for the range of reverse flow
15 conditions that were included in the analysis.

16 I considered how the data would reflect the inherent
17 variability that occurs in Old and Middle River flows and
18 delta smelt salvage during the wintertime period and whether
19 or not those relationships were taken into account.

20 And after I sort of evaluated the statistical
21 underpinning of these two different approaches, I was still
22 unclear as to, you know, the final decision about which of the
23 two I would suggest using.

24 And what I wanted to find is any time you look at a
25 statistical relationship like this, I think it's important

1 that you also evaluate and consider the underlying physical
2 processes that influence that relationship.

3 And I know relatively little about the details of the
4 hydrodynamic and tidal conditions occurring in this part of
5 the estuary. And I had an occasion at one of the workshops to
6 meet with Dr. Gartrel from the Contra Costa Water District and
7 I discussed the two analyses with Dr. Gartrell and asked him
8 his opinion. He's done a lot of work on salinity distribution
9 and tidal hydrodynamics in this part of the estuary, what he
10 felt what might be the underpinning physical process that
11 would influence the shape of a curve such as that derived by
12 Ms. Greene.

13 And what Dr. Gartrell told me was that as the
14 reverse -- the magnitude of reverse flows become greater in
15 Old and Middle River, it has a progressively greater influence
16 on the relative magnitude of the ebb tide movement within the
17 area. And that as you start moving up to higher and higher
18 reverse flows, you get to a point where the influence of the
19 state and federal water projects overwhelms the magnitude of
20 the ebb flow within these areas.

21 And in essence, you have then, under those high
22 export rates, a unidirectional pathway of transport that moves
23 fish and other material directly from Old River downstream,
24 should say upstream, into the export facilities in somewhat of
25 a ratcheting effect.

1 Q. Did Dr. Gartrell share with you the flow at which that
2 overcoming of the ebb tide influence would occur?

3 A. We talked about the shape of Sheila Greene's analysis and
4 Dr. Gartrell didn't present any real detailed results of
5 hydrodynamic analysis, but it was our discussion that
6 somewhere around 6,000 was the flow, negative flow in Old and
7 Middle River where we would overcome that ebb condition.

8 And that varies based on flow in the San Joaquin
9 River and the magnitude of tidal flows and a variety of other
10 factors. But at least it gave me a little bit better
11 understanding of what might be occurring there and why the
12 salvage would increase so markedly as reverse flows increase.

13 Q. You mentioned the R-squared that is shown on State Water
14 Contractor Exhibit N for identification. What is that number?

15 A. The number on State Water Contractor Exhibit Number N is
16 0.885 or about 89 percent of the variability is explained by
17 the relationship.

18 Q. Is that -- in the field that you work in, Dr. Hanson, is
19 that considered a goal R-squared, high R-squared or low
20 R-squared?

21 A. This would be considered a high R-squared for virtually
22 all of the biological investigations that we've done.

23 Q. Do you recall what the R-squared was in Dr. Smith's graph
24 of the data?

25 A. I believe the R-squared on the figure that Dr. Swanson

1 showed was about 0.61.

2 Q. Do you share Dr. Swanson's concern that Ms. Greene split
3 the data in to two different months, January and February,
4 rather than analyzing it as one graph over the same period?

5 A. I share part of the concern that Dr. Swanson expressed.

6 And that's, you know, she had noted that if you have a salvage
7 event that encompassed the two months, but was split between
8 just an artificial calendar date, that you could be
9 misinterpreting the results of these relationships.

10 But I also am concerned about the variability that's
11 inherent in some of these data. Old and Middle River flows
12 vary substantially over a period of time. And delta smelt
13 salvage also varies substantially over a period of time.
14 Partly in response to hydrodynamic conditions such as Old and
15 Middle River flow, but also partly in response to other
16 environmental conditions in our geographic distribution.

17 And so as I started to look at that, one of the
18 things that I examined was what kind of variability we have
19 inherent in some of this data and would that suggest that a
20 shorter time period, rather than two months, going to one
21 month, would be a more appropriate way of looking at the data.

22 In some cases, we might actually adjust the time
23 period that we look at based on the distribution of salvage.
24 We might go down to a two-week time period. There are a
25 variety of judgments that get made.

1 MR. WILKINSON: Your Honor, I'd like to offer Exhibit
2 N into evidence.

3 THE COURT: Any objection?

4 MR. WALL: No objection, Your Honor.

5 THE COURT: Exhibit N is received in evidence.

6 (Defendants' Exhibit SWC N was received.)

7 BY MR. WILKINSON:

8 Q. Dr. Hanson, I would like to show you an exhibit that was
9 previously admitted into evidence as plaintiffs' Exhibit 3.

10 Do you recall seeing that exhibit over the preceding
11 days?

12 A. Yes, I have.

13 Q. And is that the Pete Smith graph that we've been referring
14 to?

15 A. Yes. This is Figure 8 from Dr. Swanson's declaration and
16 this is the Pete Smith linear regression of combined Old and
17 Middle River flows and combined SWP and CVP salvage during the
18 January and February time period.

19 MR. WILKINSON: Your Honor, do you have a copy of
20 that exhibit? I have a couple questions.

21 THE COURT: The whole exhibit? This is one figure
22 from it.

23 MR. WILKINSON: This is one exhibit taken from Dr.
24 Swanson's declaration.

25 THE COURT: I have the Swanson declarations. I'm not

1 sure that I have all the exhibits to them. I have --

2 MR. WILKINSON: I think it's actually from page 12 of
3 Dr. Swanson's declaration.

4 THE COURT: Well, there are two declarations. And
5 the first is dated -- it doesn't have any -- well, it does
6 have. July 23rd, 2007 of Dr. Swanson. And then --

7 MR. LEE: Your Honor, it's from the July 23rd
8 declaration of Dr. Swanson. That would be on page 12 --

9 MR. WILKINSON: Thank you, Mr. Lee.

10 MR. LEE: -- of her July 23rd declaration, document
11 421.

12 THE COURT: All right. I'm on page 12. Yes, I see
13 these figures.

14 MR. WILKINSON: You recognize that figure. We talked
15 about it quite a bit.

16 Q. Dr. Hanson, do you recall the discussion that occurred
17 with Ms. Goude about the data point for 1996 that appears in
18 Dr. Smith's graph?

19 A. Yes, I do.

20 Q. Have you done any work to further investigate the
21 conditions that existed over the two-month period that were
22 used by Dr. Smith in developing the data point that he shows
23 for 1996 in that graph?

24 A. Yes, I did.

25 Q. And can you tell us what you did.

1 A. What I did was we compiled records of the daily Old and
2 Middle River flows during the January through February time
3 period in 1996.

4 The data point for 1996 shows, on Exhibit Number 3, a
5 moderately high delta smelt salvage at a reverse flow that
6 looks to be about minus 3800 cfs. And so we've been
7 investigating what the data were that went into this
8 calculation and what underpinnings actually affect that data
9 point.

10 (Defendants' Exhibit SWC 0 was marked for
11 identification.)

12 BY MR. WILKINSON:

13 Q. Dr. Hanson, I'd like to show you an exhibit that has been
14 marked as State Water Contractors Exhibit 0 for
15 identification.

16 Have you seen that exhibit before?

17 A. Yes, I have.

18 Q. Can you tell us what it is, please.

19 A. What it is, it's a figure that shows the daily Old and
20 Middle River flows during the period from January 1 through
21 the end of February, 1996 with a series of histograms. The
22 histograms that point down reflect negative reverse flows.
23 The histograms that point up reflect positive flows in Old and
24 Middle River.

25 Q. And did you reach any conclusions as a result of the

1 preparation of this document?

2 A. What I concluded was that because of the high variability
3 inherent in the Old and Middle River flows during this period
4 in 1996, that when you average over all of these individual
5 data points, by coming up and saying that the average January
6 through February Old and Middle River flow was minus 3800 cfs,
7 you're obscuring the reverse flows in the early part of the
8 period that went down to minus 8,000 cfs by including, in your
9 calculation, substantially lower reverse flows and towards
10 the, you know, end of February actually, positive reverse
11 flows.

12 When we went back and actually looked at the salvage
13 of delta smelt, what was occurring is that the salvage that
14 was reported in the figure by Dr. Smith occurred during the
15 period when the reverse flows were in the minus 8,000 range.
16 But because of the averaging that was used that resulted in
17 the data point on this graph, what it shows is substantially
18 higher salvage because of the numbers of fish that were
19 salvaged at the minus 8,000 level. Corresponding to an
20 average reverse flow over the two month period of minus 3800
21 cfs or so.

22 Q. Do you have an opinion as to whether the data point for
23 1996 on Dr. Smith's graph, which is plaintiffs' Exhibit 3,
24 Figure 8, accurately represents the conditions that actually
25 occurred during that year in the months of January and

1 February?

2 A. I think it accurately reflects the average. But I think
3 it's misleading in terms of the interpretation of the
4 relationship. And a better approach to this would have been
5 to have separated the period when the higher reverse flows
6 were occurring, say in January, and presented that set of
7 reverse flow versus salvage estimates separately from the data
8 reported later in that time period.

9 MR. WILKINSON: Your Honor, I would like to offer
10 State Water Contractors Exhibit 0 into evidence at this time.

11 THE COURT: Any objection?

12 MR. WALL: No objection, Your Honor.

13 THE COURT: Exhibit 0 is received in evidence.

14 (Defendants' Exhibit SWC 0 was received.)

15 BY MR. WILKINSON:

16 Q. Dr. Hanson, your tier two proposal involves a range of
17 flows that runs from negative 6,000 cfs to negative 1,000 cfs
18 as the lower end of your range; is that right?

19 A. That is correct.

20 Q. Can you tell me how you developed the lower end of your
21 range; that is, to say the negative 1,000 end?

22 A. When I was reviewing the matrix put forward by the Fish &
23 Wildlife Service, the lower end of their range for Old and
24 Middle River flow was zero cfs.

25 But I work for a variety of different entities in the

1 Delta, one of which is the Contra Costa Water District and I'm
2 knowledgeable about their diversions from Old River, at their
3 Old River diversion site.

4 I'm also knowledgeable about the number of other
5 diversions that occur within Old and Middle River, a whole
6 variety of smaller siphons and pump augmented diversions that
7 occur for a variety of purposes.

8 And I became concerned that if we put forward a
9 proposal that stipulated zero reverse flow in Old and Middle
10 River, that even if the state and federal water projects were
11 completely curtailed, there would still be the opportunity for
12 reverse flows caused by these other sources of diversion from
13 the area.

14 And so simply recognizing that physical reality, I
15 suggested in my refinements to the matrix that rather than
16 zero reverse flow, it be expanded to minus 1,000 reflecting
17 the influence of these other diversion points.

18 I also felt that it was inappropriate if we retained
19 the zero reverse flow, that the state and federal water
20 projects would be required to mitigate for the effects of
21 other diverters on the hydrodynamic conditions occurring on
22 Old and Middle River. And I had concerns about how they would
23 actually accomplish that.

24 Q. How do you think they would accomplish that, Dr. Hanson,
25 if, for example, pumping were completely shut off and there

1 were still reverse flows in Old and Middle River, what is your
2 expectation of how the projects would mitigate that?

3 A. My expectation for how they would mitigate that would be
4 through increased releases from reservoir storage on the San
5 Joaquin, principally New Melones Reservoir.

6 Q. In those circumstances, those releases would be made not
7 to mitigate project effects; is that correct?

8 A. To the extent that the SWP and CVP are curtailed, it would
9 not be a project related impact, it would be associated with
10 other activities.

11 Q. Do you have any proposed triggers for your tier two
12 action?

13 A. The tier two triggers -- since I started with the Fish &
14 Wildlife Service matrix, I felt that many of the triggers that
15 were embodied in the service matrix would also be applicable
16 for triggering tier two.

17 They would be such things as changes in the
18 distribution of delta smelt within the estuary as reflected in
19 results of the 20 millimeter delta smelt survey or the Summer
20 Townet Survey; it would be triggered in response to changes in
21 delta smelt occurring in the fish salvage facilities at the
22 SWP and CVP. And it could be triggered based on other
23 actions, like an increase in turbidity or a change in
24 hydrodynamic conditions that were consistent with the notion
25 that delta smelt may be moving from areas of low risk into

1 areas of increased vulnerability in risk to export effects.

2 Q. In your declaration, Dr. Hanson, of July 23rd, you also
3 describe a 500,000 acre foot water increment to be used for
4 your tier two measures; is that right?

5 A. Yes, I did.

6 Q. What was the source of that suggestion?

7 A. The source of that suggestion, and again, came from the
8 earlier declarations submitted in this proceeding by the US
9 Fish & Wildlife Service.

10 In that particular instance, it was from the
11 declaration of Steve Thompson.

12 Q. And do you recall what Dr. Thompson was proposing?

13 A. What he --

14 Q. Mr. Thompson. I think I --

15 A. Yeah, it's Mr. Thompson. What he was proposing, as I
16 understood it, was that there would be some allocation of
17 water, in this case 500,000 acre feet, that would be devoted
18 to implementation of the actions embodied in the matrix.

19 My understanding is that that was not to be
20 considered to be a cap or an ultimate constraint on the
21 implementation, but rather was to be a milestone where, after
22 that allocation had been expanded, there would be a
23 re-analysis of the performance of the actions, how well
24 they're working to achieve their objectives, whether delta
25 smelt continue to be at risk of significant impacts. And the

1 decision would be made at that time as to whether or not
2 additional resources should be allocated for this purpose.

3 Q. So you use that 500,000 acre foot increment in your tier
4 two measure as kind of a mid course correction rather than a
5 cap?

6 A. It was not intended to be a cap. It was intended to be a
7 point where there was a given milestone that the various
8 parties working on this issue could reconvene, could evaluate
9 the program and could make adjustments if necessary. But it
10 wasn't ever intended to be a constraint or a cap on that
11 activity.

12 Q. I'd like to turn your attention, Dr. Hanson, to your tier
13 three measure. Would you tell us what that is and how it
14 would work.

15 A. Tier three, at least in my mind, was kind of the ultimate
16 level of protection. And what it includes is an immediate
17 reduction in SWP and CVP export operations in the event that
18 we do have an increased level of delta smelt salvage or risk
19 of entrainment.

20 Q. What would be involved in this curtailment you described?

21 A. What would be involved is if we had evidence that delta
22 smelt were experiencing a greater risk of entrainment
23 mortality than we originally had expected -- for example, that
24 might be evidenced by an increase in the numbers of delta
25 smelt showing up in the salvage -- that would be tied then to

1 a triggering event that, in my mind, would be based on these
2 order of magnitude of population levels of delta smelt at the
3 time.

4 And if that trigger event is exceeded, then there
5 would be an immediate curtailment of exports for a period of
6 four days. And the period of four days would then allow the
7 biologists and the operators to re-evaluate what's going on in
8 the Delta, look at the data that's been collected, possibly
9 collect additional data. Make a decision at the end of that
10 period as to whether or not the evidence suggests that delta
11 smelt have no longer the risk of entrainment or make the
12 conclusion that delta smelt continue to remain in the area of
13 vulnerability and the curtailment should be extended.

14 Q. In your declaration, Dr. Hanson, you said that the
15 implementation of your tier three measure would depend upon a
16 showing of a dramatic increase, your words, in delta smelt
17 salvage. How would you propose that that determination be
18 made?

19 A. Well, what I intended by the concept of a dramatic
20 increase is that let's hypothetically assume that we're going
21 through a season and no delta smelt have been collected at the
22 export facilities. And then all of a sudden one delta smelt
23 is collected. In my mind, that wouldn't be the kind of event
24 that would trigger this type of an action.

25 But rather, if we use the order of magnitude

1 population approach, and through consultation with the
2 appropriate agencies, the Fish & Wildlife Service, the
3 Department of Fish & Game, we could agree upon some trigger
4 that is reflected by the expanded salvage that would be
5 protective of delta smelt, but would also trigger that there
6 really is an issue here that needs immediate attention, then
7 that would be the type of event that would trigger this
8 action.

9 Q. Do you recall Dr. Swanson's concern that waiting until a
10 dramatic increase in salvage occurs is like shutting the barn
11 door after the horse is stolen?

12 A. I do remember that.

13 Q. Do you agree with that comment?

14 A. I do. And I agree with it in the sense that our tier one
15 and tier two are explicitly intended to prevent that kind of
16 an occurrence. We'd like to avoid the occasion where delta
17 smelt are showing up in the salvage. But that doesn't
18 completely preclude the chance that those kind of events could
19 occur.

20 And under that extreme event, we wanted an action
21 that was quick to implement and would protect the smelt and
22 would allow an opportunity for folks to further evaluate the
23 next step of actions.

24 Q. Dr. Hanson, do you believe that a process of consultation
25 of the sort we've described regarding pumping curtailment or

1 pumping increases is preferable to a fixed take limit?

2 A. I do for the reason that -- I don't know how best to say
3 this. In the past, when we've established pre-determined
4 fixed take limits, from my perspective, we've almost always
5 been wrong. And partly because we've established a numeric
6 level that is largely independent of what's happening to the
7 population of smelt in any given year.

8 It seems to me that it's more appropriate that you
9 have triggers that are based on your expectation of the
10 population level and are responsive to what's occurring within
11 a year as the population increases or decreases.

12 And let me just give you an example. If we had
13 pre-determined that a trigger of 10,000 delta smelt would be
14 necessary to trigger this action three event, and our order of
15 magnitude estimates determined that there's about 100,000
16 delta smelt in the population, that trigger would not be
17 adequately protective at that low population level. We'd want
18 it to be more responsive and to be adjusted in accordance with
19 what's going on within the population.

20 Q. Using your example, what would you anticipate would occur
21 under your proposed tiering actions in terms of dealing
22 with allow the take and triggering various actions that you
23 have described?

24 A. Well, what I envisioned is that, depending on the order of
25 the Court and how this proceeds, that there would be occasion,

1 between now and December, that the resource agencies would
2 reconvene, we would review the available information on the
3 current status of the delta smelt population and triggers
4 would be debated and would be established that would, in view
5 of the Fish & Wildlife Service and the other agencies, be
6 responsive to the conditions that are occurring.

7 And that those would then not only be put into place,
8 but they would be periodically revisited and refined as
9 necessary as we go through the interim period as new
10 information from the Fish & Game surveys becomes available.

11 Q. Dr. Hanson, it has been suggested that your proposed
12 modifications to the matrix amount to business as usual. Do
13 you recall that?

14 A. I do recall that.

15 Q. Do you agree?

16 A. I don't. I don't think any of the three proposals could
17 be ever characterized as business as usual. All of the
18 proposals include additional constraints and requirements for
19 export project operations, whether it be through maintenance
20 of a positive flow in the Lower San Joaquin River, whether it
21 be through maintenance of Old and Middle River flows within a
22 given range or whether it be the immediate curtailment of
23 export operations.

24 None of those are considered to be business as usual.
25 And I think that's reflected in the fact that all three of

1 these proposals have very large water supply impacts exceeding
2 a million acre feet in many of the model runs that we've
3 looked at. So I can't see where any of those things could be
4 characterized as business as usual during the interim period.

5 Q. Dr. Hanson, before we move off of that particular subject,
6 do you recall any estimates of the water supply impact of
7 these measures?

8 A. You know, I didn't pay a lot of attention to the water
9 supply impact issue. But in the course of looking at the
10 various proposals and the refinements, we certainly exercised
11 the CALSIM II model to look at the water supply impacts for
12 the various proposals on the table. And we use some of those
13 results in the modifications that I've proposed in the actions
14 embodied in this proposal. My recollection is that for many
15 of these actions, the incremental impact was in the hundreds
16 of thousands of acre feet.

17 Q. Another of your suggested measures, Dr. Hanson, involves
18 the possible construction of a temporary physical intertie.
19 Do you recall that?

20 A. I do recall that.

21 Q. Would you explain what you have in mind and how that would
22 operate?

23 A. Can I approach the map?

24 Q. Sure.

25 Is that all right, Your Honor?

1 THE COURT: You may approach.

2 THE WITNESS: Well, as you can see from the map, the
3 State Water Project and the Central Valley Project export
4 facilities are located in relatively close proximity to one
5 another. And what we've seen, as we looked at the salvage
6 data from previous years, is that there are a number of
7 occasions where the salvage of delta smelt is substantially
8 different between the State Water Project and the Central
9 Valley Project despite their being closely located, you know,
10 in that section of Old and Middle River.

11 What we wanted to do is to see if there was an
12 opportunity, through some kind of a temporary physical
13 structure, that we could increase the operational flexibility
14 that would allow preferential diversion operations from that
15 intake that had the lowest risk of entraining delta smelt.

16 And one of the constraints then is if you were to
17 curtail SWP exports and preferentially operate the CVP
18 diversion, would there be a way to move water from the
19 distribution system of one project to the next to meet the
20 downstream demands for human health and safety and to meet
21 other water supply requirements? Currently we don't have that
22 kind of a physical facility in this region of the system.

23 But you can see, since the two projects parallel each
24 other and come close together geographically, it didn't seem
25 to me that it would be that difficult, from an engineering

1 standpoint, to either put in pumps and a pipe or put in some
2 kind of a temporary canal that would allow water to be
3 redistributed from one intake side to the distribution network
4 of the other facilities. And thereby provide opportunities to
5 reduce water supply impacts while also increasing the level of
6 protection of delta smelt through preferential diversion
7 operations.

8 BY MR. WILKINSON:

9 Q. Has the concept of preferential pumping at one plant or
10 the other been raised by anyone else, to your knowledge?

11 A. It has. This is an old concept that's been, you know,
12 discussed as part of the Bay-Delta proceedings for a number of
13 years. It was actually called the Joint Point Proposal that
14 was included in the State Water Resources Control Board D
15 1641. It was a little different concept than what I'm
16 proposing here, but the idea of preferential operation of
17 diversion locations in response to changes in fish density has
18 been around for a long time.

19 Q. Are any of your tier one, tier two or tier three measures
20 contingent upon the construction of an intertie?

21 A. No, an intertie would provide additional operational
22 flexibility. I think it's a good idea. But there's certainly
23 other obstacles in terms of environmental documentation, of
24 permitting and construction. None of the tier one, tier two
25 or tier three actions are dependent upon this. It would

1 simply be an augmentation to the flexibility of the
2 operations.

3 Q. Dr. Hanson, why don't you go ahead and resume your seat.

4 In the course of your analysis, did you make any
5 evaluation of whether operation of the State Water Project and
6 Central Valley Project, in accordance with the modifications
7 you've suggested through your tier one, tier two and tier
8 three measures will avoid jeopardy to the delta smelt during
9 the period prior to the issuance of a new Biological Opinion?

10 A. I did. And as I mentioned in terms of my analysis of the
11 Fish & Wildlife Service proposal, we considered what the
12 modeling was telling us about changes in hydrodynamic
13 conditions in response to these types of actions. We were
14 considering how these types of actions could be responsive to
15 changes in the geographic distribution of delta smelt and,
16 correspondingly, their risk of adverse impacts associated with
17 water project operations. We were cognizant of the short
18 period of the interim remedies extending from 12 to 18 months.

19 And I took a lot of comfort in the fact that within
20 our range, if the conditions warrant and the delta smelt are
21 at risk, there are the opportunities to exercise extreme
22 constraints on water project operations that would provide a
23 higher level of protection for delta smelt.

24 It simply provides more flexibility in how you can
25 make those choices, depending upon the level of risk that the

1 delta smelt is under. Given those various constraints and the
2 opportunities to provide a range of levels in response to the
3 delta smelt distribution and its risk, I felt that this would
4 be protective and would avoid jeopardy during the short
5 period.

6 Q. Did you undertake an evaluation of whether operation of
7 the state project and federal project in accordance with the
8 modifications you've suggested would avoid adverse impacts to
9 critical habitat?

10 A. We did. We considered looking at the modeling if there
11 would be an effect on the X2 location during the February
12 through May period. Also in the event that we have tier one,
13 that would provide better hydrodynamic conditions in the
14 estuary than have occurred under many of the previous years.
15 If tier two were to be invoked, then there would be further
16 improvements in the hydrodynamics in Old and Middle River
17 compared to some of the base conditions.

18 So I felt that through those various actions, there
19 would be not only the level of protection for delta smelt, but
20 from a hydrodynamic perspective, it would also contribute to
21 improved habitat conditions, not only for delta smelt, but for
22 other resident and migratory fish within the estuary.

23 Q. In light of the recent catch data indicated by survey five
24 of the Summer Towner Survey, have you changed your opinions
25 with regard to either jeopardy or adverse modification?

1 A. I haven't changed my opinions with regard to the
2 performance of the program. What I have changed is my
3 opinions with respect to the kinds of monitoring and the
4 sensitivity of the triggers that would be necessary under
5 these lower new population levels.

6 And I've also changed my opinion when -- I thought
7 there was, for example, in excess of a million delta smelt in
8 the population. My expectation at that time was that we had a
9 higher degree of flexibility and would be able to exercise our
10 operations more towards the upper end of the range of
11 operations. Under the lower levels of population that appear
12 to be present in the system now, I've changed that opinion.
13 And I think that it's more likely, in order to provide the
14 level of protection, that we would exercise operations at the
15 lower end of that range.

16 But that decision wouldn't be pre-determined. It
17 would be based on the conditions, the hydrodynamic conditions
18 and the geographic distribution of smelt and their risk at the
19 time those decisions were to be made.

20 Q. And is it your belief that the modifications to the matrix
21 that you've proposed would provide sufficient, if you will,
22 flexibility to make the changes you've described?

23 A. Within the tier two, for example, we could go anywhere
24 from minus 1,000 to minus 6,000. There isn't anything that
25 locks you in to one particular part of that range or another.

1 So the way I view it is that you've got large flexibility in
2 exercising your judgment as to where that level of protection
3 should be given the level of risk.

4 Q. You mentioned that your opinions had changed with regard
5 to the monitoring that might be necessary and also the
6 triggers. Would you elaborate on that, please?

7 A. That as the order of magnitude estimate of population
8 abundance goes down, I think the triggers that we would use
9 from moving from one tier to the next need to become more
10 sensitive and need to be lowered so that we're making those
11 actions earlier and in response to the occurrence of fewer
12 delta smelt than we would have made had the population levels
13 been higher.

14 Q. Have you discussed, Dr. Hanson, your proposed
15 modifications to the Fish & Wildlife Service action matrix
16 with anyone at the State of California or with Fish &
17 Wildlife?

18 A. I have. I had an occasion to meet with Jerry Johns and
19 others during the preparation of declarations, to review the
20 draft matrix that was being developed at that time. We
21 provided to the Department of Water Resources some additional
22 thoughts and ideas that we were thinking about in terms of
23 modifications to those elements of the matrix.

24 We subsequently had an occasion to have a meeting
25 with the Fish & Wildlife Service, the Department of Fish &

1 Game, the Bureau of Reclamation and DWR, where we presented to
2 them the outline of our three tiered approach. We discussed
3 the underpinning philosophy behind our approach and what we
4 were trying to accomplish. And that was all prior to
5 submittal of my July declaration.

6 The purpose of that meeting was several fold. We
7 weren't asking for their approval or endorsement of our
8 proposal. We were using it as an opportunity, among
9 colleagues, to, first of all, avoid surprises so that they
10 knew what we were thinking and what we were likely to propose.
11 It also gave us an opportunity to solicit their feedback and
12 insight into areas that they thought were -- you know, needed
13 further refinement or areas that should be further, you know,
14 discussed and deliberated.

15 Q. Did the agencies provide you with any response?

16 A. They did in the course of the meeting. We had a good
17 discussion about, you know, the particle tracking modeling
18 and, in fact, Dave Fullerton made a presentation to the group
19 while some of us were away, showing them the results of the
20 particle tracking modeling and some of the foundation for our
21 tier one activities. We had a good dialogue about the idea of
22 monitoring and triggers and how some of that could fit
23 together.

24 Subsequently -- but there was no agreement at that
25 time in the meeting. Subsequently, the Department of Water

1 Resources, through the declaration of Jerry Johns in early
2 August, did endorse one of our proposed actions. And that was
3 the modification of the Old and Middle River flows in the
4 matrix to extend to a minus 6,000 cfs.

5 Q. That was the upper end of the range that was proposed as
6 part of your tier two modification?

7 A. It was.

8 Q. Was there any other response, Dr. Hanson?

9 A. I think the other response that we had -- and it's the
10 kind of response that we have had consistently throughout, you
11 know, the years of working on these things, was a desire to
12 continue the dialogue, to continue working together, to look
13 at these kind of actions.

14 For example, we had some feedback that, you know,
15 this idea of maintaining a positive net westerly flow had
16 merit, but that there was additional information and
17 additional analyses, additional particle tracking that would
18 be beneficial to further evaluate and assess that particular
19 action.

20 And what we agreed was that that was true. That
21 further discussion throughout this process and continuing well
22 beyond these proceedings would be a valuable thing to continue
23 to do.

24 Q. Thank you. Dr. Hanson, are you familiar with the interim
25 actions proposed by Dr. Swanson?

1 A. I am.

2 Q. Is it your understanding that Dr. Swanson proposes to
3 limit flows in Old and Middle River to a target of not more
4 than 3500 cubic feet per second over the period December 25
5 through February?

6 A. Correct.

7 Q. Is that her action number four?

8 A. I believe so, yes.

9 Q. What's your understanding of the purpose of that action?

10 A. The purpose of that action is to provide increased levels
11 of protection for pre-spawning sub-adult delta smelt during
12 that wintertime period prior to spawning.

13 Q. Are you aware of any estimate of the impact of
14 implementing her action number four, Dr. Swanson's action
15 number four, on the project exports?

16 A. I had seen some preliminary estimates that suggested that
17 that would be in the order of hundreds of thousands of acre
18 feet.

19 Q. What's your understanding, Dr. Hanson, of the basis for
20 Dr. Swanson's action number four?

21 A. Well, as Dr. Swanson presented in her declaration and
22 subsequently in her testimony, the primary source of
23 information that she was using for that particular action were
24 the regression analyses developed by Dr. Smith that show the
25 relationship, the linear relationship between Old and Middle

1 River flows during January and February and the number of
2 delta smelt salvaged during that time period.

3 Q. Dr. Hanson, we've seen the regression graph that was part
4 of Dr. Swanson's declaration. I'd like to hand you a document
5 that's been marked as State Water Contractors Exhibit P.

6 (Defendants' Exhibit SWC P was marked for
7 identification.)

8 BY MR. WILKINSON:

9 Q. Have you seen that document before?

10 A. Yes, I have.

11 Q. Can you tell me what it is?

12 A. What it is is a PowerPoint presentation that was presented
13 at the 2006 Environmental Water Account workshop on November
14 28, 2006 by Pete Smith.

15 Q. If you turn to the -- well, before that, strike that
16 question.

17 Can you tell me where you obtained this document, Dr.
18 Hanson, and when?

19 A. I obtained this when I was preparing my August
20 declaration. I was away from my office and I wanted to look
21 at the information that Dr. Swanson had included in her
22 analysis on the relationship from Pete Smith. And so I simply
23 went to the website and I pulled off this presentation to the
24 EWA conference for that relationship.

25 Q. If we look at the second page to the regression or

1 regressions in this case, they appear to be somewhat different
2 than the graph that was provided by Dr. Swanson in her
3 declaration. Could you describe what the differences are?

4 A. There are two primary differences. One is that this
5 figure has, on the upper panel, the relationship between delta
6 smelt salvage and combined Old and Middle River flows for the
7 January through February period. And on the lower panel,
8 similar graph that shows the relationship between delta smelt
9 salvage and SWP and CVP export rates during that time period.

10 The other difference is that this one has a large
11 "draft - subject to revision" stamped across it.

12 Q. Is there also a difference in the R-squared value shown in
13 the upper graph?

14 A. There is. In the graph that Dr. Swanson includes in her
15 declaration, the R-squared is reported as 0.61. In this
16 regression, it's reported as 0.55.

17 Q. Do you have any understanding of the reason for the change
18 in the R-squared?

19 A. I don't. Other than the possibility that Dr. Smith, you
20 know, re-analyzed it or -- I simply have no idea.

21 Q. And again, was it your testimony, Dr. Hanson, there have
22 been changes within the past month?

23 A. I did.

24 Q. To your knowledge, has the work undertaken by Dr. Smith
25 been published or peer reviewed?

1 A. To my knowledge, it has not been either published or peer
2 reviewed.

3 MR. WILKINSON: Your Honor, I would like at this time
4 to offer State Water Contractors Exhibit P into evidence.

5 THE COURT: Any objection?

6 MR. WALL: No objection, Your Honor.

7 THE COURT: Exhibit P is received in evidence.

8 (Defendants' Exhibit SWC P was received.)

9 BY MR. WILKINSON:

10 Q. Dr. Hanson, are you also familiar with Dr. Swanson's fall
11 salinity measure number ten?

12 A. I am.

13 Q. What's your understanding of the purpose of that proposed
14 measure?

15 A. My understanding is that her proposed action number ten,
16 which includes maintenance of the X2 or the 2 part per
17 thousand isohaline at Kilometer 80 would occur during the fall
18 period extending from September through December for the
19 purposes of improving delta smelt habitat within the central
20 portion of the Delta.

21 Q. What is your understanding of the basis for Dr. Swanson's
22 proposed action ten?

23 A. My understanding, there have been two relatively recent
24 analyses that have looked at this relationship between delta
25 smelt habitat and fall salinity. One of those was a

1 statistical analysis presented by Gurein from the Contra Costa
2 Water District. And the second was an analysis, a statistical
3 analysis of water quality effects related to environmental
4 quality for delta smelt during the fall published by Fred
5 Feyrer and Matt Nobriga and Ted Sommer.

6 Q. Do the Gurein and Feyrer analyses demonstrate causal
7 relationship or cause and effect relationship between fall
8 salinity and delta smelt abundance?

9 A. No. What they reported are statistical relationships and
10 it's difficult to tell whether those are causal relationships
11 or simply correlations.

12 Q. What is the difference in your understanding between a
13 causal relationship and a statistical correlation?

14 A. Causal relationship is one in which one variable drives
15 the response of your second variable. A correlation is where
16 two variables might be related independently to a third
17 variable. And therefore are not directly linked, but are
18 linked through some intermediary factor.

19 Q. Do statistical correlations provide or identify any
20 underlying relationships?

21 A. Statistical correlations may identify underlying
22 relationships. They're frequently used as the basis for
23 hypothesis testing and for additional data collection.
24 They're used for examining the underlying physical processes
25 that may be driving the specific response of a given, in this

1 case, delta smelt to some environmental condition. So they're
2 used for a variety of purposes.

3 Q. Do they provide the mechanism?

4 A. They don't provide the mechanism.

5 Q. Dr. Hanson, I'd like to show you a document that has been
6 previously marked for identification as State Water Contractor
7 Exhibit E. Do you recognize that document?

8 Do you recognize that document?

9 A. Yes, I do.

10 Q. Could you tell us what it is?

11 A. This is a review of the 2005 Environmental Water Account
12 Workshop prepared by James Anderson from the University of
13 Washington. It's dated January 2006.

14 Q. Have you seen SWC Exhibit E previously?

15 A. I had seen it previously.

16 Q. It appears to relate to an EWA Workshop. Do you know what
17 those are?

18 A. Yes, I do.

19 Q. What are they?

20 A. The Environmental Water Account is a program that has been
21 established through the CALFED program. It's designed to have
22 an allocation of water that can be used for environmental
23 enhancement purposes. And as part of that process, a number
24 of questions arose about the performance of water allocations
25 for different uses and the resulting biological response that

1 was achieved through those allocations.

2 And so as part of that annual review process, an EWA
3 Workshop is convened where a variety of investigators come
4 together. They're both investigators working on the Bay-Delta
5 Estuary, conducting analyses, looking at various factors, but
6 it also includes independent scientists from outside the area
7 that are brought together to share ideas, to share results of
8 analyses and to provide guidance to the program as to where
9 further refinements or additional emphasis should be placed in
10 the future.

11 Q. Did you obtain a copy of Dr. Anderson's paper yourself?

12 A. Yes, I did.

13 Q. How did you do that?

14 A. I obtained it by going on the website for the CALFED EWA
15 program and downloading it.

16 Q. Did you have an opportunity, Dr. Hanson, to examine the
17 copy of the paper that I've handed to you?

18 A. I did.

19 Q. Did you compare that copy with the copy that you obtained
20 from the EWA website?

21 A. I did.

22 Q. Are they the same?

23 A. They are.

24 Q. Do you review the papers that are issued by the EWA
25 Independent Science Review Panel following the workshops?

1 A. There is so much material that's produced currently as
2 part of our investigations of fisheries and habitat conditions
3 in the system that it's important for scientists to be aware
4 of what's going on and what are the new developments and the
5 new insights. It's just virtually impossible to actually
6 review in detail all of the various documents that are being
7 produced.

8 And so part of my work is to be aware of these
9 things. I attended this workshop. I was aware that, you
10 know, this was underway. But I simply don't review and detail
11 all of these various documents.

12 Q. Did you consider Dr. Anderson's paper, the one you have in
13 front of you, in developing your opinion about statistical
14 correlations?

15 A. I did. And Dr. Anderson serves on the National Marine
16 Fisheries Service Central Valley Salmonid Recovery Team and he
17 and I and Dr. Swanson and others have discussed similar kinds
18 of analyses in the past.

19 MR. WILKINSON: Thank you. Your Honor, at this time
20 I'm going to offer state water contract Exhibit E into
21 evidence.

22 THE COURT: Any objection?

23 MR. WALL: No objection, Your Honor.

24 THE COURT: State Water Contract Exhibit E is
25 received in evidence.

1 (Defendants' Exhibit SWC E was received.)

2 BY MR. WILKINSON:

3 Q. Dr. Hanson, the Gurein analysis that you referred to
4 strike that. What is the Gurein analysis that you referred
5 to?

6 A. The Gurein analysis was a statistical analysis that was
7 designed to investigate the potential relationships between
8 fall salinity as measured at Jersey Point and the subsequent
9 abundance of delta smelt.

10 Q. Did Gurein use the most recent data available in setting
11 up his analysis?

12 A. It's a she.

13 Q. I'm sorry.

14 A. And she used the most available information at the time
15 that she had prepared her analysis, which was several years
16 ago.

17 Q. Did her analysis include the three most recent years of
18 data?

19 A. It did not.

20 Q. Have you examined the results, if those three most recent
21 years of data are added to the Gurein analysis?

22 A. I have. Dave Fullerton from the Metropolitan Water
23 District has been working on, you know, re-analyzing those
24 relationships. And one of the most powerful tools that we
25 have for evaluating these kind of statistical relationships is

1 to determine whether or not the previous relationship
2 accurately predicts future events.

3 And so one of the most powerful tests that you can
4 apply is to use the previous relationship to see whether or
5 not it predicts the relationships in more recent years that
6 were not included in the original statistics. And that's what
7 Dave Fullerton did.

8 And what it shows is that the more recent data do not
9 conform to the earlier predictions.

10 (Defendants' Exhibit SWC Q was marked for
11 identification.)

12 BY MR. WILKINSON:

13 Q. Dr. Hanson, I'm going to hand you a document marked State
14 Water Contractors Exhibit Q for identification.

15 Have you seen that document before?

16 A. Yes, I have.

17 Q. Can you tell us what it is, please?

18 A. This is the declaration of David Fullerton in these
19 proceedings.

20 Q. And that declaration was filed on or about August 13th,
21 2007; is that right? If you can look at page ten, Dr. Hanson,
22 you'll see a date on there.

23 A. Thank you. Yes, it was August 9th.

24 Q. And is that the analysis by Mr. Fullerton that you were
25 describing?

1 A. Yes. I'd been having discussions with Mr. Fullerton for
2 quite some time, as well as with Dr. Gartrell and others about
3 these analyses.

4 Q. And Dr. Hanson, did you rely on the analysis shown in
5 State Water Contractor Exhibit Q in formulating your opinions
6 about the Gurein analysis relied upon by Dr. Swanson?

7 A. In part I did.

8 MR. WILKINSON: Your Honor, I'm going to move Exhibit
9 Q into evidence.

10 MR. WALL: Your Honor, we're going to object to that
11 on several different grounds. This is a non-testifying
12 expert. Exhibit Q has not been redacted. We understood that
13 redacted versions would be provided to us. We have not had an
14 opportunity to review the version Mr. Wilkinson gave us this
15 morning and would reserve our right to make objections on that
16 basis.

17 In addition, there are certain attachments to this
18 that were not placed in evidence during the written
19 evidentiary phase of this proceeding. They were not submitted
20 to the Court and we will need a chance to look at them and see
21 what they are.

22 MR. WILKINSON: Your Honor, this declaration is one
23 of the declarations that we did provide to the plaintiffs'
24 counsel this morning. And it is one of the declarations we
25 intend to offer into evidence in this proceeding. It may be

1 that we should simply mark it at this time and then when Mr.
2 Fullerton is available for cross-examination, we can move it
3 at that time.

4 THE COURT: I think that would be fairer.

5 MR. WILKINSON: Okay.

6 THE COURT: Let us mark -- it is marked SWC Q for
7 identification.

8 As to the objection that it is hearsay, it is hearsay
9 relied on by an expert, which is permitted. However, that
10 reliance doesn't make the underlying concept admissible. And
11 since the plaintiffs haven't had a chance to review or to
12 ascertain the complete exhibit, its contents, we will reserve
13 rulings both on the ground of improper opinion or other
14 content and as to the agreement that you were going to redact
15 parts of this.

16 MR. WILKINSON: Actually, we did provide a copy and
17 we did not redact any of the material in that declaration. So
18 what we gave the plaintiffs this morning was, in effect, a
19 redacted copy but no redactions were made.

20 THE COURT: All right.

21 MR. WILKINSON: We thought the full declaration was
22 appropriate. So --

23 THE COURT: You gave the plaintiffs all the exhibits?

24 MR. WILKINSON: Yes, we did.

25 THE COURT: All right. What time did you give it to

1 them this morning?

2 MR. WILKINSON: Oh, I think it was probably about
3 8:45. So I'm happy to just simply mark it at this time.

4 THE COURT: Yes.

5 MR. WILKINSON: And we'll move it on Friday.

6 THE COURT: That's the way we'll leave it. You can
7 move its introduction and I can rule on whatever objections
8 are raised at that time.

9 MR. WILKINSON: Could I have just a moment, Your
10 Honor?

11 THE COURT: Yes.

12 BY MR. WILKINSON:

13 Q. Dr. Hanson, I'm going to give you a document which has
14 been previously admitted into evidence as plaintiffs' Exhibit
15 5.

16 Do you recognize that article?

17 A. Yes, I do.

18 Q. Can you tell us what that is, please?

19 A. This is the article dated 2007 by Fred Feyrer, Matt
20 Nobriga and Ted Sommer titled "Multidecadal trends for three
21 declining fish species: Habitat patterns and mechanisms in
22 the San Francisco Estuary, California, USA."

23 Q. Have you had an opportunity to review that article, Dr.
24 Hanson?

25 A. Yes, I have.

1 Q. From that review, do you recall whether the authors of the
2 article viewed their correlation of salinity and EQ, or
3 environmental quality, to be the basis for directing water
4 supply management actions?

5 A. No. I believe that they have a statement in their
6 discussion that says how these results would be used for
7 making management decisions is still uncertain.

8 Q. Do you recall whether the authors of this article believe
9 they had all the data that they needed to make their
10 statistical correlation effective for species management?

11 MR. WALL: Objection. I don't think there's a basis
12 established for the witness to testify --

13 THE COURT: Sustained. Lay the foundation.

14 BY MR. WILKINSON:

15 Q. Dr. Hanson, if you would turn to page 732 of the article.
16 Above the word "Acknowledgments." Would you read the last
17 sentence that appears above that heading?

18 A. Starting "Moreover"?

19 Q. Yes.

20 A. "Moreover, for the water quality data to be most effective
21 for species management, additional information is needed to
22 better define the mechanisms for the effects of water quality
23 variables on aquatic organisms."

24 Q. Do you agree with that statement?

25 A. Yes, I do.

1 Q. Do you recall Feyrer in the article indicated that there
2 could be other causes of declines in delta smelt abundance?

3 A. They do discuss other potential causes of decline in delta
4 smelt.

5 Q. Do you agree that there are other potential causes of the
6 decline in smelt abundance other than the state and federal
7 projects?

8 A. I do believe that there are cumulative impacts from a wide
9 variety of different sources of mortality and factors
10 affecting habitat quality and availability that include the
11 state and federal water projects, but also include a wide
12 variety of other factors, such as toxics and pollutants, the
13 effect of exotic introduced species on the trophic dynamics of
14 the estuary, changes in nutrient phytoplankton and zooplankton
15 production. Predation mortality. Exposure to other
16 unscreened diversions within the Delta. There are a variety
17 of factors that influence delta smelt.

18 Q. Do you recall whether the authors of the Feyrer article
19 conducted any analysis of whether salinity is related to the
20 presence or absence of delta smelt?

21 A. As I mentioned, the Feyrer analysis utilized the data
22 collected from the Department of Fish & Game Fall Midwater
23 Trawl Surveys. And as part of those surveys, each of those
24 samples, the department also records the salinity, the water
25 temperature, the water depth and the electrical conductivity

1 are a measure of salinity. So yes, salinity was included as
2 one of their habitat or water quality parameters.

3 Q. Dr. Hanson, I'd like to hand you a document marked as
4 State Water Contractors Exhibit R for identification.

5 (Defendants' Exhibit SWC R was marked for
6 identification.)

7 BY MR. WILKINSON:

8 Q. Have you seen that before?

9 A. Yes, I have. This is table one taken from the Feyrer
10 article.

11 Q. What is the R-squared shown by Table 1 of Feyrer with
12 regard to specific conductance?

13 A. The R-squared value is reported in the parentheses. And
14 for delta smelt, the R-squared value for the row titled
15 specific conductance is 18.6.

16 Q. What does an R-squared value of 18.6 mean to you?

17 A. That means that the relationship that has been put forward
18 for the presence of delta smelt as a function of salinity is
19 relatively weak. That it explains only about 18 percent or 19
20 percent of the variation in that particular parameter.

21 Q. And in this case specific conductance is a term of more
22 salinity; correct?

23 A. Specific conductance is a term for salinity.

24 MR. WILKINSON: Your Honor, I'd like to move Exhibit
25 R for identification into evidence.

1 THE COURT: Any objection?

2 MR. WALL: None.

3 THE COURT: Exhibit R is received in evidence.

4 (Defendants' Exhibit SWC R was received.)

5 BY MR. WILKINSON:

6 Q. Now, is it your understanding, Dr. Hanson, that Feyrer
7 does reference the concept of EQ in his paper?

8 A. They do develop the concept of EQ based on their three
9 measurements of water quality parameters.

10 Q. And those were the measurements of salinity, turbidity and
11 temperature?

12 A. They were the measures of salinity, temperature and the
13 Secchi disk.

14 Q. Secchi disk.

15 A. Which is a measure of transparency of the water.

16 Q. Thank you for the correction. Does that definition of EQ
17 that you just provided, as it appears in Feyrer, include all
18 of the factors that could affect habitat quality?

19 A. No. Fish respond to a variety of factors, including water
20 quality parameters such as those that are described here. But
21 they also respond to other factors, such as water velocity or
22 turbulence, presence of overhead cover, availability of prey,
23 presence of predation or other predators. So a variety of
24 factors go into the determination of habitat quality.

25 Q. What does Feyrer conclude about EQ with regard to delta

1 smelt?

2 A. What he concludes in this paper is that over the entire
3 Delta, there has been a decline in the index of environmental
4 quality for delta smelt. And I believe he concludes that that
5 decline in the environmental quality has been significant.

6 Q. Was that finding of a decline in habitat quality or EQ
7 consistent throughout the estuary?

8 A. No, it wasn't.

9 Q. Was there any area of the estuary Feyrer concluded that EQ
10 had actually increased over time?

11 A. Feyrer ran separate regression analyses between his index
12 of environmental quality over time for the individual sampling
13 stations where the fall midwater trawl is conducted. And
14 those are roughly the same stations that we show on our
15 Exhibit H in the green dots.

16 And what he found in doing that analysis is that
17 there was one station near the confluence between the
18 Sacramento and San Joaquin Rivers where his regression
19 analysis showed a statistically significant positive
20 relationship between environmental quality over time.

21 Q. If you would approach the map. Could you show us on the
22 map where that confluence station is?

23 A. I don't remember which specific station he was looking at.
24 But the confluence between the Sacramento and San Joaquin
25 River is located in this general area about Station 801. 520,

1 513 located in station grids A4 and A5.

2 Q. And approximately how close is that to Kilometer 80?

3 A. Kilometer 80 is opposite Broad Slough and Collinsville.

4 So it's within just a number of kilometers. A relatively few
5 kilometers upstream from Kilometer 80.

6 Q. Dr. Hanson, why don't you resume your seat. I'd like to
7 hand you a document that has been marked for identification as
8 State Water Contractor Exhibit S.

9 (Defendants' Exhibit SWC S was marked for
10 identification.)

11 BY MR. WILKINSON:

12 Q. Have you seen that Exhibit before, Dr. Hanson?

13 A. Yes, I have. This is Figure 6 from the Feyrer article.

14 Q. Can you tell us what Figure 6 describes?

15 A. What Figure 6 presents are the results of his regression
16 analyses of environmental quality over time for individual
17 stations for delta smelt.

18 Q. And is it the case that Mr. Feyrer did regressions for
19 each of the stations for which smelt -- from which smelt were
20 collected?

21 A. He did.

22 Q. And is Figure 6 an indication of what he found by doing
23 those regressions?

24 A. This presents the overall results of those regressions
25 from each of the individual stations included in his analysis.

1 Q. Can you tell us what Figure 6 shows, Dr. Hanson?

2 A. Well, what Figure 6 shows is a map of the Delta and Suisun
3 Bay. It has a series of dots on the map reflecting the fall
4 midwater trawl sampling sites. Some of those dots are solid
5 circles and some of those dots are open circles.

6 Q. What do the solid circles represent?

7 A. The solid circles reflect a statistically significant
8 regression between environmental quality over time showing
9 that environmental quality at those stations has declined over
10 time.

11 Q. And what do the open circles indicate?

12 A. The open circles indicate that there was no statistically
13 significant relationship between environmental quality over
14 time.

15 Q. Can you tell us, looking at Figure 6, Dr. Hanson, where
16 Kilometer 80 would be on this figure?

17 A. Kilometer 80 would be upstream of the Sacramento and San
18 Joaquin River confluence, roughly above the dash between the
19 range of values of minus 0.003199 and minus 0.0.

20 Q. So directly above that dash would be the area where
21 Kilometer 80 would be?

22 A. Roughly so, yes.

23 Q. And is Kilometer 80 surrounded by closed circles or open
24 circles?

25 A. It's surrounded by open circles.

1 Q. And again, what is the significance of that?

2 A. The open circles were regressions from the Feyrer analysis
3 that did not show a statistically significant relationship
4 between environmental quality over time.

5 MR. WILKINSON: Your Honor, I'd like to move State
6 Water Contractor Exhibit S into evidence.

7 THE COURT: Any objection?

8 MR. WALL: No objection, Your Honor.

9 THE COURT: Exhibit S is received in evidence.

10 (Defendants' Exhibit SWC S was received.)

11 BY MR. WILKINSON:

12 Q. Dr. Hanson, if the state and federal projects are not
13 required to meet the terms of Dr. Swanson's proposed action
14 ten, are there any other requirements on the projects that
15 would affect salinity at Kilometer 80 in your opinion?

16 A. There are several other factors. The water projects
17 comply with upstream release requirements as outlined in FERC,
18 requirements as outlined in biological opinions for the
19 protection of upstream habitat for salmonids.

20 There are also water quality standards within the
21 Delta. As part of D 1641, there's a water quality standard
22 year round to protect water quality from salinity intrusion at
23 the Contra Costa Water District Pumping Plant Number One.
24 That criteria is the maintenance of water quality with a
25 salinity not to exceed 250 milligrams per liter year round.

1 There are also municipal and agricultural water
2 quality standards that are applicable in the Delta at
3 different times of the year to protect in-Delta agriculture as
4 well as municipal and industrial uses. And as part of D 1641,
5 there are also outflow requirements. And those are intended
6 to provide for fishery habitat.

7 Q. Dr. Hanson, have you made a determination of what the
8 expected salinity would be at Kilometer 80 this fall if Dr.
9 Swanson's action number 10 is not implemented?

10 A. I was asked to make that determination. And the way I
11 approached it was two-fold. One, as I mentioned, Dr. Greg
12 Gartrell from the Contra Costa Water District has been
13 complying and analyzing extensive salinity information from
14 this region of the Delta. And from Dr. Gartrell's perspective,
15 his interest is that water quality, primarily salinity at the
16 Contra Costa Pumping Plant Number One, which is --

17 Q. Can you point out where that is on the map?

18 A. It's located in Section A5 immediately upstream of Station
19 802 on this map.

20 Q. Thank you.

21 A. So as a result of their interest in salinity intrusion at
22 Rock Slough as well as into the Old River area, they've
23 compiled extensive databases and information on salinity
24 within this area.

25 The second thing that is available are the results of

1 the DSM II salinity modeling and they can predict salinity
2 distribution within various locations of the Delta within
3 different operating and hydrologic conditions.

4 Using the results of the information available from
5 Dr. Gartrell as well as the results from the DSM2 modeling, I
6 estimated that salinity in the fall in this area would likely
7 be in the range from about 3 to 4.5 parts per thousand.

8 Q. Are salinities of 3 to 4.5 parts per thousand within the
9 range of salinity tolerance of the delta smelt?

10 A. Based on the information that's available and the
11 testimony from Dr. Moyle, yes, they are.

12 Q. Are you aware of any study that has attempted to calculate
13 the change in abundance of delta smelt that would occur if
14 fall salinities at Kilometer 80 are 3 to 4.5 parts per
15 thousand rather than 2 parts per thousand?

16 A. I'm not aware of any analysis of that.

17 Q. Do you have opinion, Dr. Hanson, as to whether there is
18 currently sufficient habitat for sub-adult delta smelt in the
19 area of Kilometer 80?

20 A. There is habitat that falls within the appropriate
21 salinity range throughout the central region of the Delta. As
22 I've mentioned in the past, frequently sub-adult delta smelt
23 are found concentrated in the Lower Sacramento River in the
24 vicinity of Decker Island, basically about Station 706 on
25 Exhibit H.

1 And these areas have supported large populations of
2 delta smelt in the past. Appear to have suitable habitat in
3 the fall to support those populations. And although we have
4 not done a specific analysis of this, it appears to me that
5 based on the low populations of sub-adult delta smelt that we
6 anticipate to occur in the system this coming fall, it doesn't
7 appear to me that habitat, the physical volume of habitat,
8 would be a limiting factor affecting delta smelt this fall.

9 Q. Is it also your understanding, Dr. Hanson, that action ten
10 in Dr. Swanson's matrix is intended to address food
11 availability issues related to the Asian clam *Corbula*?

12 A. That's one of the underlying hypotheses that has been put
13 forward recently is that variable salinity regimes may have
14 benefit in altering or reducing the abundance or distribution
15 of some of the benthic foraging, such as the overbite clam.

16 Q. Does Dr. Swanson cite any particular source for her
17 proposed use of X2 to control the clam?

18 A. I believe that she cites the work of Dr. Jan Thompson from
19 USGS.

20 Q. Are you familiar with the work that has been performed by
21 Dr. Jan Thompson regarding the clam?

22 A. I am. The most recent presentation I've seen was at the
23 CALFED Variable Salinity Workshop.

24 Q. Based upon your attendance and understanding from that
25 workshop, is it your opinion, Dr. Hanson, that Jan Thompson's

1 work supports Dr. Swanson's proposal to use project water to
2 increase food availability by controlling the salt water clam?

3 A. No, I think the work that Dr. Thompson put forward
4 basically showed that there's a high degree of uncertainty as
5 to how the brackish water clam *Corbula* would respond to
6 variation of salinity within this range and magnitude. It
7 also depend on how long that salinity would be held.

8 Another question that arises is we have two clams
9 that have invaded the estuary that are significant benthic
10 filter feeders. We have the brackish water clam *Corbula*,
11 which primarily inhabits the area in Suisun Bay and
12 encroaching into the Delta. But we also have the fresh water
13 Asian clam *Corbicula*, which inhabits the fresh water portions
14 of the upstream tributary rivers and Delta. And there's a
15 dynamic balance in terms of the salinity regimes and the
16 geographic distribution between those species as it relates to
17 salinity conditions occurring within the Delta.

18 (Defendants' Exhibit SWC T was marked for
19 identification.)

20 BY MR. WILKINSON:

21 Q. Dr. Hanson, I would like to show you an Exhibit marked T
22 for identification. State Water Contractor Exhibit T. Have
23 you seen that before?

24 A. Yes, I have.

25 Q. Would you tell us what it is, please?

1 A. This is a portion of a PowerPoint presentation that Dr.
2 Jan Thompson made to the CALFED Variable Salinity Workshop.

3 Q. And did you attend that presentation?

4 A. Yes, I did.

5 Q. Would you show us, Dr. Hanson, what these four slides of
6 Dr. Jan Thompson show?

7 A. The first slide is just the title slide from a PowerPoint
8 presentation titled "Clams - where, how and can we limit the
9 damage."

10 The second slide, which is marked State Water
11 Contractor Exhibit T-B shows the range of salinity tolerance
12 between *Corbula* and *Corbicula*. *Corbula* is shown in the orange
13 bar, *Corbicula* is shown in the blue bar. The top two rows
14 show the range for the adult lifestage. The two bottom bars
15 show the range for the larval or recruitment stage.

16 And what it shows is that *Corbula* extends over
17 salinity range basically from approximately fresh water to
18 full strength sea water; where *Corbicula* extends over a range
19 of salinities from about zero to ten parts per thousand in the
20 adult stage. There is a difference in recruitment. *Corbula*
21 is much more tolerant in the larval stage for higher levels of
22 salinity than is *Corbicula*.

23 The third slide shows *Corbicula* densities measured in
24 number per square meter. In this case, during a May 2003
25 survey, showing that they're widely distributed throughout the

1 central Delta and extending downstream at the confluence
2 between the Sacramento and San Joaquin River systems.

3 And the fourth figure is, in essence, a conceptual
4 model that Dr. Thompson put forward, sort of showing how
5 variable salinity or how the change in salinity distribution
6 would potentially affect one or both of these clam species.

7 The idea being that if we had fresher water
8 conditions further downstream in the Delta, even if they were
9 able to reduce the abundance of the brackish water *Corbula*,
10 that that habitat may be inhabited or colonized by the
11 *Corbicula*.

12 Similarly, if we had more saline water intruding up
13 into the Delta, that area may then preferentially be inhabited
14 by the brackish water *Corbula*, pushing the fresher water
15 *Corbicula* further upstream.

16 So there's a change in the species composition of
17 these two clams geographically, but not necessarily a change
18 in their effect on the estuary or their filter feeding.

19 Q. Dr. Hanson, do both *Corbula* and *Corbicula* have about the
20 same ability to filter food from the water column?

21 A. I don't know the specific filter rates for the two
22 species. They're roughly the same size. They're both filter
23 feeding organisms, filtering from the water column and
24 removing nutrients, organic carbon, phytoplankton and
25 zooplankton, so I would assume that they would be similar.

1 Q. Do you believe Dr. Thompson's work supports Dr. Swanson's
2 proposal to release water or reduce exports to maintain X2 at
3 Kilometer 80?

4 A. I think the work of Dr. Thompson simply shows that there's
5 a high degree of uncertainty as to the response of the benthic
6 organisms that would occur in response to variable salinity
7 conditions such as those that have been proposed. And I think
8 there's a high degree of uncertainty as to what the biological
9 implications of that would be in terms of an increase in
10 nutrients or food availability for other species like delta
11 smelt.

12 (Defendants' Exhibit SWC U was marked for
13 identification.)

14 BY MR. WILKINSON:

15 Q. Dr. Hanson, I would like to show you a document that has
16 been marked as State Water Contractors Exhibit U for
17 identification.

18 Can you tell us what that document is?

19 A. I had mentioned the CALFED Science Program workshop titled
20 defining a variable Delta to promote estuary and fish habitat
21 that was the subject of the presentations by Dr. Thompson and
22 others.

23 As part of the summary of that workshop, the CALFED
24 staff, in addition to some of the participants, prepared a
25 report summarizing their findings and conclusions from that

1 workshop. And what we have is a report, it's dated July 27,
2 2007. It was prepared for Dr. Healey, who is the CALFED lead
3 scientist. And it was prepared by Matt Nobriga, a staff
4 member of the CALFED program, with input from many of the
5 participants.

6 Q. Is Matt Nobriga also one of the authors of the Feyrer
7 paper?

8 A. He is a co-author of the Feyrer paper.

9 Q. Dr. Hanson, I'd like to turn your attention to page ten of
10 Exhibit U for identification. And I'd like to read to you a
11 couple of the sentences that appear in the large paragraph in
12 roughly the middle of the page.

13 "All of the presenters agreed that a focus simply on
14 salinity variability is inappropriate; that habitat
15 variability had to include a broad range of attributes."

16 And then at the bottom of the paragraph, the last two
17 sentences, "It is also unknown if extending the freshwater
18 period to kill overbite clams would allow Asiatic freshwater
19 clams to establish higher populations in Suisun Bay. Thus,
20 the dynamics of clam - phytoplankton interactions under
21 different salinity regimes are not currently predictable.
22 Therefore, the food web responses of fishes feeding on clams
23 or competing with them for food are likewise not currently
24 predictable."

25 Do you agree with those statements?

1 A. I do agree with those statements and I do agree that that
2 was the general finding from this workshop.

3 MR. WILKINSON: Your Honor, I would like to offer
4 into evidence both State Water Contractor Exhibit T and State
5 Water Contractor Exhibit U for identification.

6 THE COURT: Any objection?

7 MR. WALL: Yes, Your Honor, we'd object on the ground
8 that both documents are hearsay.

9 THE COURT: All right. As to Exhibit T, the Court is
10 going to sustain the objection in part. It will be received
11 not for its truth, it will be received for information that
12 was relied on by the expert in expressing opinions.

13 As to Exhibit number U, this is another multiple
14 hearsay report containing opinions of third parties. It is
15 information relied on by the expert to corroborate findings or
16 opinions.

17 I will sustain the objection to the underlying
18 opinions and not received the document for the truth, but will
19 receive it as information that the expert has relied on in
20 reaching his opinions. And since there is no further
21 foundation offered for it, that's as far as my rulings go.

22 (Defendants' Exhibit SWC T and U were received.)

23 MR. WILKINSON: Thank you, Your Honor.

24 Q. Dr. Hanson, do you have an opinion about the possible
25 impact of Dr. Swanson's action number ten on other species?

1 A. Yes, I do.

2 Q. And I'll hand you an exhibit that we will mark as State
3 Water Contractor Exhibit V as in Victor for identification.

4 (Defendants' Exhibit SWC V was marked for
5 identification.)

6 BY MR. WILKINSON:

7 Q. Have you seen that document before, Dr. Hanson?

8 A. Yes, I have.

9 Q. Tell us what it is, please.

10 A. What it is is a summary of three reservoirs on the
11 Sacramento River watershed, including Shasta Reservoir,
12 Oroville Reservoir and Folsom Reservoir. The next column is
13 the reported maximum storage capacity in millions of acre feet
14 for each of those three reservoirs.

15 The third column is the storage in millions of acre
16 feet reported by the USBR and DWR as of August 26th, 2007.

17 The final column is, in essence, a threshold for
18 concern regarding cold water pool management within upstream
19 reservoirs.

20 Q. Dr. Hanson, did you prepare SWC Exhibit V?

21 A. Yes, I did.

22 Q. What was the purpose of preparing that?

23 A. The purpose of that was that by managing salinity
24 conditions within the Delta during the period from September
25 through December, there are functionally two main ways to

1 accomplish that. One would be to release water from upstream
2 reservoirs to provide greater fresh water outflow and
3 therefore move the salinity isohaline further to the west
4 around Kilometer 80.

5 The second would be to reduce SWP or CVP exports to
6 allow greater Delta outflow to occur and also achieve that
7 salinity redistribution.

8 One of the concerns that the various scientists have
9 in dealing with central valley fishery issues are concerns
10 with respect to cold water pool depletion and exposure of
11 salmonids residing in upstream tributaries on the main stem
12 Sacramento River, the Feather River, the American River, as
13 they experience elevated water temperatures, particularly
14 during the summer and early fall months.

15 Q. How did you determine the cold water pool level at Shasta
16 and Oroville?

17 A. I searched the web for information on what had been
18 reported as the storage thresholds for various cold water pool
19 management strategies. For example, the 1.9 million acre feet
20 for Shasta Reservoir was one of the storage thresholds that
21 was identified by the National Marine Fisheries Service for
22 the protection of winter run Chinook salmon that spawn in the
23 main stem of Sacramento River downstream of Shasta Reservoir.

24 On the Feather River, Oroville Storage, the cold
25 water pool management has been a key issue of concern and

1 discussion and analysis as part of the FERC hydro relicensing
2 proceedings. There are fall-run Chinook salmon, spring-run
3 Chinook salmon and central valley steelhead that reside in the
4 Feather River downstream of Oroville Dam. So cold water pool
5 management has been a key issue in these upstream reservoirs
6 as it has on the American River, primarily focusing on
7 steelhead and fall-run Chinook salmon.

8 Q. Dr. Hanson, when is the cold water, in your understanding,
9 in these pools needed for salmon and steelhead?

10 A. Well, cold water is an important attribute to the physical
11 habitat required for salmon, both as adult holding habitat
12 during the upstream spawning migration, during spawning and
13 egg incubation and during juvenile rearing.

14 In our portion of the central valley, those
15 temperature conditions occur throughout the spring, the summer
16 and the early fall. Prior to the time that atmospheric
17 temperatures decline to the point where we have nighttime
18 cooling and other conditions that make temperature less of an
19 issue.

20 On many of the river systems, such as the mainstem
21 Sacramento River downstream of Shasta Reservoir, one of the
22 primary areas of concern is during that August, September and
23 October time period. That's a period when winter run Chinook
24 salmon that have been listed as endangered under both the
25 California and Federal Endangered Species Act are spawning and

1 their eggs are incubating in the mainstem Sacramento River.

2 The eggs are the most temperature sensitive of the
3 life stages for Chinook salmon. And there are concerns about
4 the effects of elevated water temperature on hatching success
5 of those winter run Chinook salmon. On the Feather River and
6 the American River, for example, we have spring -- well, on
7 the Feather River, we have spring-run Chinook salmon that have
8 been over holding since the spring through the summer.

9 They spawn in the Feather River in September and
10 October. Water temperatures during that time period are also
11 a critical issue in terms of the survival of those incubating
12 eggs. For fall run Chinook salmon on the Sacramento, the
13 Feather and the American River, the pre-spawning adults
14 migrate upstream in September -- well, actually from August
15 through about October.

16 Exposure of those pre-spawning adults to elevated
17 water temperatures has been identified as one of the factors
18 contributing to both pre-spawn mortality as well as reduced
19 viability of fall run Chinook salmon eggs.

20 Q. Do you recall testimony, Dr. Hanson, that meeting Dr.
21 Swanson's proposed action ten by additional upstream reservoir
22 releases, instead of doing it that way, we could simply reduce
23 exports?

24 A. I do remember that testimony.

25 Q. Do you have an opinion of the effect of reducing exports

1 to meet action ten?

2 MR. WALL: Objection if he's asking for water supply
3 opinion. This witness isn't qualified.

4 MR. WILKINSON: Actually I'm not.

5 THE COURT: All right. The objection is overruled on
6 counsel's representation that this is going to be biological
7 related opinion.

8 BY MR. WILKINSON:

9 Q. Dr. Hanson, with that understanding, would you please go
10 ahead and answer my question?

11 A. Yes. The water diverted from the Delta during the fall
12 period serves a variety of purposes. One of the purposes is
13 to provide water supplies to refuges and wildlife areas within
14 the San Joaquin River Valley. There are a variety of refuge
15 habitats that receive water supplies from the CVP and the SWP
16 facilities. And so depending on the magnitude and the
17 allocations, there are potential effects of reduced exports on
18 other wildlife issues.

19 Q. Dr. Hanson, I'd like to finally turn your attention to Dr.
20 Swanson's actions number five and number seven. Do you recall
21 those?

22 A. Yes, I do.

23 Q. What is your understanding of the purpose of those
24 actions?

25 A. The purpose of those actions, as I understand it, is to

1 provide an increased level of protection for larval and early
2 juvenile life stages of delta smelt. Those life stages occur
3 within the estuary during the late winter through the late
4 spring, early summertime period.

5 And one of the hypotheses that has been put forward
6 is that those larval delta smelt and early juvenile
7 life stages, which are largely planktonic, are vulnerable to
8 entrainment at the SWP and CVP export facilities where there's
9 high mortality.

10 Q. What is your understanding of the flow limitations that
11 Dr. Swanson would propose to implement in her actions number
12 five and number seven?

13 A. My understanding is that Dr. Swanson has proposed a flow
14 level not to exceed -- well, a target flow level of 1500 cfs
15 reverse flow in Old and Middle River throughout that spring
16 and summer period, early summer period.

17 Q. Is it your understanding that the purpose of
18 these -- providing these flows is to attempt to extend, pardon
19 me, the VAMP conditions to a period of time both before and
20 after the VAMP period of April 15th to May 15th?

21 A. I think they're intended to provide an extension of the
22 export component of the VAMP program during those periods.

23 Q. And you were one of the authors of the VAMP program; is
24 that correct?

25 A. I was. With Bruce Herbold from EPA.

1 Q. Dr. Hanson --

2 THE COURT: You say "the export component," you mean
3 reduction in exports?

4 THE WITNESS: No. The VAMP program has multiple
5 components. It includes reduced exports during a 31-day
6 period in spring, typically extending from April 1st through
7 May 15th. But it also includes the installation of the Head
8 of Old River Barrier and it includes increases in the releases
9 of water from San Joaquin River tributaries for the purpose of
10 managing the flow level in the San Joaquin River Vernalis.

11 So the VAMP program in total has both export related
12 factors as well as San Joaquin River flow related factors.
13 And Dr. Swanson's proposal only addresses the export component
14 of the VAMP program.

15 THE COURT: But the other components are in an
16 attempt to increase flows --

17 THE WITNESS: They are an attempt.

18 THE COURT: -- at Vernalis. And the export component
19 is to reduce exports --

20 THE WITNESS: Correct.

21 THE COURT: -- during the same period.

22 THE WITNESS: Exactly.

23 THE COURT: You may proceed.

24 BY MR. WILKINSON:

25 Q. Dr. Hanson, does the VAMP target Old and Middle River

1 flows?

2 A. It does not. It targets export rates at the SWP and CVP.

3 Q. Does the VAMP target exports at negative 1500 cfs?

4 A. No. The VAMP has a range of target export rates that
5 range from 1500 cfs to about 3500 cfs.

6 Q. Do you --

7 THE COURT: Negative or positive?

8 THE WITNESS: These are export rates, so these are
9 water being withdrawn from the Delta and passed through the
10 export facilities.

11 THE COURT: Thank you.

12 BY MR. WILKINSON:

13 Q. Would that have an impact on Old and Middle River flows?

14 A. It would. There's an association, although not
15 necessarily a direct linkage between the export rate and Old
16 and Middle River flows.

17 Q. Dr. Hanson, is it your understanding that Dr. Swanson's
18 proposed actions five and seven replicate VAMP conditions?

19 A. They replicate just one element. The 1500 cfs export
20 rate. They don't reflect the range of exports that we have in
21 VAMP nor do they address the issue of San Joaquin River flows.

22 Q. Is it your understanding that Dr. Swanson's actions five
23 and seven are based on the work by Dr. Bennett?

24 A. That is my understanding.

25 Q. Is Dr. Bennett's work publicly available?

1 A. To my understanding it is not.

2 Q. Do you know what Old and Middle River flow conditions Dr.
3 Bennett examined to develop his theory about larval smelt
4 survival?

5 A. I don't. I haven't had an opportunity to talk to Dr.
6 Bennett about that. In the absence of a written report, it's
7 hard to tell.

8 Q. Do you know what years Dr. Bennett examined as part of his
9 analysis and research?

10 A. I don't know specifically.

11 Q. Is any of that information publicly available?

12 A. It has been presented at some of these workshops, but
13 other than that, it's not publicly available.

14 Q. Has it been published?

15 A. No, it has not.

16 Q. Has it been peer reviewed?

17 A. Not to my knowledge.

18 Q. Is there anything in your tier two proposal, Dr. Hanson,
19 that would preclude operating the projects to a negative 1500
20 cfs in Old and Middle River if Dr. Bennett's work does become
21 publicly available and scientists like yourself become
22 confident that the relationship Dr. Bennett has suggested is
23 correct?

24 A. No, there's nothing to preclude that. Our range
25 encompasses that range that's been proposed.

1 Q. Is there anything in the Fish & Wildlife Service action
2 matrix that would preclude operating to a target of negative
3 1500 cfs in Old and Middle River under those same conditions?

4 A. There is nothing that would preclude that. Their range
5 also encompasses that.

6 Q. Dr. Hanson, in your opinion, would the proposed matrix put
7 forward by the plaintiffs be sufficient to avoid jeopardy to
8 the delta smelt during the interim period before Biological
9 Opinion is issued?

10 A. Yes, it would.

11 Q. In your opinion, would their proposed matrix be sufficient
12 to avoid adverse modification of critical habitat during that
13 interim period?

14 A. I believe it would.

15 Q. In your opinion, Dr. Hanson, would the delta smelt action
16 matrix proposed by the Fish & Wildlife Service be sufficient
17 to avoid jeopardy to the delta smelt during the interim period
18 before a Biological Opinion is adopted?

19 A. Given the range of opportunities to modify export
20 operations in response to the risk of delta smelt, I believe
21 that it would.

22 Q. In your opinion, Dr. Hanson, would the Fish & Wildlife
23 Service action matrix be sufficient during this interim period
24 to avoid adverse modification of critical habitat for the
25 smelt?

1 A. Given the short period of this interim action and the fact
2 that it would contribute to reduced reverse flows, I believe
3 that it would contribute to habitat improvement.

4 Q. And by --

5 THE COURT: And the basis for that answer is that you
6 don't think, depending upon what none of us know, what the
7 conditions are going to be, as far as the availability of
8 water climatologically in the -- what will be the '08 water
9 year starting in October, October 1st through September 30th,
10 '08, which will definitely be the period covered at the
11 minimum by these actions. You don't think there's any
12 possibility that the species can go extinct given the current
13 conditions?

14 THE WITNESS: No, that's --

15 THE COURT: If these measures are not implemented.

16 THE WITNESS: No. That's not my conclusion, Your
17 Honor.

18 THE COURT: What is your conclusion?

19 THE WITNESS: My conclusion is that the scope of
20 these proceedings has really been focused just on addressing a
21 fairly narrow range of operations and actions related directly
22 to the SWP and CVP export operations that are intended to
23 avoid that being the cause for the delta smelt to go extinct.

24 There are a variety of other factors that are
25 independent of that for which we have no control that could

1 supercede this and could result in the delta smelt going
2 extinct independent of what operations are at the projects.

3 THE COURT: That I believe I understand. My question
4 is specifically focused on the actions that are proposed. We
5 have at least three alternatives. And they're complimentary
6 or cumulative because they seem to increase the levels of
7 protection. And I know that that's debated and it's not
8 agreed by the parties. And so given those measures being
9 implemented, it's still your opinion that the species could be
10 extinct?

11 THE WITNESS: Unfortunately, that is --

12 THE COURT: Even if they're all given.

13 THE WITNESS: Unfortunately that is my opinion, sir.

14 THE COURT: And you don't have any present proposal
15 that would necessarily, in the worst case, prevent the
16 extinction of the species?

17 THE WITNESS: I do not.

18 THE COURT: All right.

19 MR. WILKINSON: That's all I have.

20 THE COURT: All right. We're going to take --

21 MR. WILKINSON: One final exhibit.

22 THE COURT: The afternoon recess -- beg your pardon?

23 MR. WILKINSON: I'm sorry, Your Honor, there's one
24 final exhibit. I believe it was State Water Contractors
25 Exhibit V. I would offer that in evidence at this time.

1 THE COURT: Any objection?

2 MR. WALL: Yes, Your Honor. The only testimony we
3 have is that the last column there was based on an internet
4 search. I believe it's hearsay. We wouldn't object to the
5 extent that it shows what the witness considered in forming
6 his opinions, but for the truth of the matter, we object.

7 THE COURT: All right. I will sustain the objection
8 in part. I'm going to admit the exhibit to show information.
9 The witness has described the sources. I'm not sure that we
10 have the complete foundation for the sources and therefore I
11 will not admit the data for its truth, but it will be
12 considered because it was relied on by Dr. Hanson in
13 formulating his opinions.

14 MR. WILKINSON: Thank you, Your Honor.

15 THE COURT: All right. We will now -- so that is
16 admitted in part subject to my stated limitation.

17 (Defendants' Exhibit SWC V was received.)

18 THE COURT: We will stand in recess until 3:20.

19 (Recess.)

20 THE COURT: We're on the record in NRDC versus
21 Kempthorne. Please be seated. Mr. Wall, you may proceed.

22 MR. WALL: Thank you, Your Honor.

23 CROSS-EXAMINATION

24 BY MR. WALL:

25 Q. Good afternoon, Dr. Hanson.

1 A. Good afternoon, Mr. Wall.

2 Q. Dr. Hanson, just before we broke, you were testifying
3 regarding your view of whether project operations at the
4 export facilities would cause critical -- adverse modification
5 of critical habitat; correct?

6 A. Correct.

7 Q. And you testified in your view that if any of the three
8 remedial proposals you described were implemented, the export
9 facilities would not cause jeopardy during the next 12 to 18
10 months; correct?

11 A. Depending on how they're operated, correct.

12 Q. And you testified that you did not look at whether other
13 factors would cause jeopardy during that period; correct?

14 A. I'm only concerned that other factors would contribute to
15 mortality and potential jeopardy, but we didn't do any kind of
16 an analysis of their incremental contribution, no.

17 Q. So you didn't look at the incremental contribution of any
18 factors other than the projects' export facilities; correct?

19 A. We did not.

20 Q. Dr. Hanson, the projects have impacts on delta smelt
21 through the operations of their dams and reservoirs; correct?

22 A. Indirectly through changes in hydrodynamics and water
23 quality downstream throughout the estuary, yes.

24 Q. And those dams and reservoirs would hold fresh water from
25 the Delta; correct?

1 A. Correct.

2 Q. Dr. Hanson, there's been a lot of discussion about
3 population estimates. Your population estimate of 1.8 million
4 delta smelt presented in your July declaration was projected
5 from the results of the 20 millimeter survey conducted between
6 July 2nd and July 9; correct?

7 A. Correct.

8 Q. That was survey nine of the 20 millimeter survey?

9 A. That was survey nine.

10 Q. Did you use the catch only from survey nine or the
11 cumulative catch through survey nine to calculate your
12 results?

13 A. Our results were calculated on survey nine densities
14 alone, not the cumulative over time.

15 Q. Dr. Hanson, how many delta smelt were caught during the
16 survey nine of the 20 millimeter survey?

17 A. I don't remember explicitly.

18 Q. Dr. Hanson, do you have in front of you Dr. Swanson's
19 declaration of August 13? I believe this would be the
20 plaintiffs' Exhibit Number 4 in evidence.

21 A. Yes, I do.

22 Q. Could I ask you to please turn to page 11 of that
23 declaration.

24 A. I have page 11.

25 Q. Page 11, Dr. Hanson.

1 A. Yes.

2 Q. And do you see Table 1.

3 A. Yes, I do.

4 Q. And is that a table that shows the number of delta smelt
5 caught by different surveys over different years?

6 A. It does. For surveys five, six, seven, eight and nine.

7 Q. And that's the cumulative total through each survey;
8 correct?

9 A. Correct.

10 Q. Looking at this table, could you tell me the number of
11 delta smelt that were caught during the survey nine of this
12 year?

13 A. I believe -- well, without doing the math, it would be 137
14 minus 98.

15 Q. And that would be 39 delta smelt?

16 A. 39 delta smelt.

17 Q. So Dr. Hanson, you calculated a total population abundance
18 of delta smelt of 1.8 million fish based on a survey that
19 caught 39 fish; is that correct, Dr. Hanson?

20 A. That is correct.

21 Q. That's quite an extrapolation; wouldn't you say?

22 MR. WILKINSON: Argumentative.

23 MR. LEE: Argumentative.

24 THE COURT: It is in part. This is an expert. I
25 suspect he can handle it. The objection is overruled. You

1 may answer.

2 THE WITNESS: It is a large expansion.

3 BY MR. WALL:

4 Q. Do you have any idea what the ratio is between 39 and 1.8
5 million?

6 A. I don't.

7 Q. Dr. Hanson, you made certain assumptions in preparing your
8 population estimates; correct?

9 A. Correct.

10 Q. And one of those assumptions was that the density of delta
11 smelt in the water column, both vertically and laterally, was
12 consistent within a given region; correct?

13 A. Correct.

14 Q. So for example, if we were to look at the map that is in
15 front of you, which I believe is Exhibit H, State Water
16 Contractors Exhibit H.

17 A. Correct.

18 Q. It shows a region A4?

19 A. It does show a region A4.

20 Q. And a region A3 and so on.

21 A. Correct.

22 Q. And so you assumed that within each one of those regions,
23 the density of delta smelt was consistent; correct?

24 A. Correct.

25 Q. Dr. Hanson, you obtained the delta smelt --

1 THE COURT: Excuse me.

2 MR. WALL: I'm sorry.

3 THE COURT: You know that that isn't accurate?

4 THE WITNESS: We do know that that isn't accurate,
5 Your Honor.

6 THE COURT: And to what extent is there a difference
7 between actual and assumed?

8 THE WITNESS: That difference, Your Honor, is not
9 well defined, but it could be relatively large. We could have
10 stations within a region that there are no delta smelt
11 collected and a large number of delta smelt collected at just
12 one sampling site within a region. And that would affect our
13 density calculation.

14 THE COURT: So your confidence factor could be more
15 than 50 percent?

16 THE WITNESS: I would expect that it would be, yes,
17 sir.

18 THE COURT: You may continue.

19 BY MR. WALL:

20 Q. Dr. Hanson, you have not calculated confidence factors;
21 correct?

22 A. For my estimates, I did not calculate confidence
23 intervals.

24 Q. Dr. Hanson, if you could look at the map to which we just
25 referred and focus particularly on region A4. And let me see

1 if I can find a copy of this to put on the Elmo for everyone
2 else.

3 THE COURT: There was one on there. I don't know
4 what happened to it. Do you have it, Mr. Wilkinson?

5 MR. WILKINSON: I have it, yes.

6 THE COURT: All right.

7 MR. WILKINSON: Do you need a copy, Your Honor?

8 THE COURT: Can you give that to Mr. Wall?

9 Thank you, Mr. Buckley. If we have one that's a
10 stand alone, let's just put it on there. Thank you.

11 MR. WALL: Thank you very much.

12 Q. Dr. Hanson, if I could direct your attention to region A4.
13 It includes the following sampling stations, does it not, 610,
14 704, 705, 706, 707, 711 and 812.

15 A. Correct.

16 MR. WALL: Your Honor, may I approach?

17 THE COURT: You may.

18 (Plaintiffs' Exhibit 15 was marked for
19 identification.)

20 BY MR. WALL:

21 Q. Dr. Hanson, I'm showing you a document that has been
22 marked for identification as plaintiffs' Exhibit 15.

23 Do you recognize this document? And it has two
24 sides.

25 A. Yes, I do recognize this document.

1 Q. Could you tell us what it is?

2 A. This is a portrayal of the reporting that's presented on
3 the California Department of Fish & Game web page. It allows
4 you to go in and query various surveys. In this case, the
5 delta smelt survey nine for 2007. It allows you to present
6 several depictions of those survey results.

7 On the front page of the example is the map of what
8 we frequently call the dot plot with size of the circles
9 surrounding a given sampling station being proportional to the
10 density of fish as expressed in fish per 10,000 cubic meters.

11 And on the reverse side are the results by station.
12 The station number, surface temperature, surface electrical
13 conductivity, number of tows and the average CPUE.

14 Q. And this is the data for which you relied on in
15 calculating your population estimate?

16 A. These are the data that we used.

17 Q. Now, let's -- if I could ask you, Dr. Hanson, to go
18 ahead -- and do you have a pen there?

19 A. I don't.

20 Q. Would it be -- may I approach?

21 THE COURT: You may.

22 MR. WALL: Your Honor, I move to have Plaintiffs' 15
23 admitted into evidence.

24 MR. WILKINSON: Objection, Your Honor, it's hearsay.

25 THE COURT: This --

1 MR. WALL: Your Honor -- I'm sorry.

2 THE COURT: This is a Department of Fish & Game
3 prepared study that was relied on by the expert, accessed by a
4 website. And finish the foundation for the website, if you
5 would, please, and then I'll rule on the objection.

6 BY MR. WALL:

7 Q. Dr. Hanson, you obtained this from a Department of Fish &
8 Game website; correct?

9 A. I didn't obtain this. But we do access this website.

10 Q. And you accessed that website in preparing your population
11 estimate?

12 A. Yes, I did.

13 Q. And that's the website that's listed at the top of the
14 first page?

15 A. I believe it is, yes.

16 Q. And to your knowledge, this is accurate representation of
17 California Department of Fish & Game's survey data for the 20
18 millimeter survey?

19 A. To my knowledge it is, yes.

20 MR. WALL: Your Honor, does that lay an adequate
21 foundation? If it does, I'd like --

22 THE COURT: Yes, unless there's an objection as to
23 foundation.

24 MR. WILKINSON: No, Your Honor.

25 THE COURT: The Court overrules the objection under

1 Federal Rule of Evidence 803 subsection (a). This is a public
2 record, report, statement or data compilation in any form of a
3 public office or agency which set forth matters observed
4 pursuant to duty imposed by law as to which matters there was
5 a duty to report.

6 (Plaintiffs' Exhibit 15 was received.)

7 THE COURT: You may proceed.

8 BY MR. WALL:

9 Q. Dr. Hanson, I'm going to read for you the -- actually, let
10 me ask you a question first. On the second page, there's a
11 column that says "Average CPUE." Do you see that?

12 A. It's CPUE. Catch per unit effort.

13 Q. And that's a measure of delta smelt density. Right?

14 A. That's correct.

15 Q. Dr. Hanson, I'm going to walk through with you -- and I'd
16 like you to read along with me and make sure I get this right.
17 The catch per unit effort figures for each of the sampling
18 stations in region A4. The first was station 610 and that had
19 a catch per unit effort of zero; correct?

20 A. Correct.

21 Q. That means no delta smelt were caught; correct?

22 A. That is correct.

23 Q. And the next was station 704. And that had a catch per
24 unit effort of zero as well; correct?

25 A. Correct.

1 Q. And the next is 705 and that had a catch per unit effort
2 of zero?

3 A. Correct.

4 Q. And the next is 706 and there we see a catch per unit
5 effort of 31.59; correct?

6 A. Correct.

7 Q. Then we go on to 706 and -- sorry, 707, and we see a catch
8 per unit effort of 3.87; correct?

9 A. Correct.

10 Q. And 711, the catch per unit effort was zero?

11 A. Yes.

12 Q. And 812, the catch per unit effort was zero; correct?

13 A. Correct.

14 Q. Now I'm going to -- I've written those numbers on a copy
15 of State Water Contractors H, which I'm going to put on the
16 Elmo, and just tell me if that we're looking at is accurate
17 and states the numbers I just read.

18 A. Yes, it does.

19 Q. Now, if we took the average of -- there are seven sampling
20 stations in region A4; correct?

21 A. Correct.

22 Q. And if we took the average of those, it would be a bit
23 more than five, the catch per unit effort; correct?

24 A. I haven't done the average here, but that looks to be
25 about right, yes.

1 Q. Let me represent to you and ask you to assume that the
2 average catch per unit effort of the seven sampling stations
3 is 5.06. You assumed that this was the density of delta smelt
4 throughout the entirety of the water contained within region
5 A4; correct?

6 A. That is correct.

7 Q. Even at the stations at which no delta smelt were caught;
8 correct?

9 A. Across the entire region, yes.

10 Q. Now, if you could turn your attention back to the map. Am
11 I correct that stations 704, 705, 706, 707 and 711 are all on
12 the Sacramento River?

13 A. Those are on the Sacramento River.

14 Q. And station 812, also in region A4, is on the San Joaquin
15 River?

16 A. That is correct.

17 Q. And station 16 is on the Montezuma Slough.

18 A. 606?

19 Q. I'm sorry. 610, I believe.

20 A. 610 is on Montezuma Slough.

21 Q. So if I understand this correctly, Dr. Hanson, and correct
22 me if I'm wrong, you averaged the density of delta smelt
23 across these three waterways, the San Joaquin River, the
24 Sacramento River and the Montezuma Slough and assumed that
25 that average density applied to all three waterways to the

1 extent they go in region A4; correct?

2 A. To the extent they were in region A4, we did, yes.

3 Q. Dr. Hanson, there's no biological basis for assuming that
4 the density of delta smelt on the Sacramento River was the
5 same as the density of the delta smelt on the San Joaquin
6 River during this time period; is that correct?

7 A. There's no biological basis. Delta smelt are distributed
8 throughout this area of the estuary, but biologically, I don't
9 think there's a reason to believe that they would be on -- you
10 know, differential on one or the other.

11 Q. In fact, if we could just turn back to Plaintiffs' 15, the
12 first page. Does that map indicate to you that there's a much
13 stronger abundance of delta smelt on the Sacramento River than
14 on the San Joaquin River?

15 A. And that's -- it has been a consistent pattern in recent
16 years, yes.

17 Q. But you assumed that the average across these three
18 waterways, to the extent that they are both in this region,
19 apply in all three waterways?

20 A. In this particular example, I did, yes.

21 Q. Dr. Hanson, you testified that you had discussed your
22 regions with other scientists; correct?

23 A. I had.

24 Q. Including Dr. Moyle.

25 A. Including Dr. Moyle.

1 Q. And did you say Dr. Bennett?

2 A. Dr. Wim Kimmerer.

3 Q. Dr. Wim Kimmerer. And those scientists suggested there
4 might be a better way to draw these regions; correct?

5 A. They did at the time, yes.

6 Q. But you did not follow up on their advice and draw new
7 regions; correct?

8 A. For this purpose, I did not.

9 Q. Now, when you testified that you had not calculated
10 confidence intervals for your population estimates, that means
11 you didn't calculate the possible range of error in your
12 estimates; correct?

13 A. That is correct.

14 Q. And if I understood you correctly, that's because you
15 believe you didn't need to calculate confidence intervals;
16 correct?

17 A. What I was preparing were point estimates of the standing
18 stock. I did not calculate confidence intervals for those.
19 And there were several sources of potential bias and error
20 that I had no information on how to calculate confidence
21 intervals around.

22 Q. You're aware that Dr. Bennett calculated confidence
23 intervals for his population estimates; correct?

24 A. I am aware of that.

25 Q. And you said he had done it because he had compared

1 populations of delta smelt through time. Correct?

2 A. He used his data differently than I did. He calculated a
3 population estimate for a given sampling type for all of the
4 surveys that were contained within that, as I understand it.

5 Q. Your understanding is he calculated -- it was appropriate
6 for him to calculate a confidence interval because he
7 calculated the delta smelt population through time; correct?

8 A. He was using his estimates to compare population estimates
9 through time. And given that type of a comparison, Dr.
10 Bennett felt that confidence intervals were appropriate to
11 include.

12 Q. Now, Dr. Hanson, you used your population estimates to
13 make a comparison; did you not?

14 MR. WILKINSON: Ambiguous.

15 THE COURT: Do you understand the question?

16 THE WITNESS: I think I do. I made a comparison
17 only --

18 THE COURT: Let me rule on the objection.

19 THE WITNESS: Oh, sorry.

20 THE COURT: Overruled. You may answer.

21 THE WITNESS: I made a comparison not of the
22 population trends, but of the change in my estimate of the
23 population from one survey to the next within 2007.

24 BY MR. WALL:

25 Q. Well, Dr. Hanson, you compared your population estimate to

1 salvage counts at the pumps; did you not?

2 A. That we did, yes.

3 Q. And in making that comparison, it would have been
4 important to know how far off your population estimates might
5 be; correct?

6 A. That would have been one additional piece of information,
7 yes.

8 Q. Today, Dr. Hanson, you described your population estimates
9 as an order of magnitude estimate; correct?

10 A. Correct.

11 Q. You didn't call it an order of magnitude estimate in any
12 of your declarations in this case; did you?

13 A. No, I just presented the results of those calculations.

14 Q. And you have not presented any calculations showing that
15 your population estimates are accurate within an order of
16 magnitude; correct?

17 A. I have not, other than in our earlier discussions with Dr.
18 Bennett going back to the late 1990s. At that point in time,
19 our population estimates using this approach were within the
20 same order of magnitude as had been done by other
21 investigators.

22 And similarly, when I compared these estimates to
23 population estimates for 2007 that had been prepared by Dr.
24 Sitts as well as Dr. Miller, they were roughly within the same
25 order of magnitude in those comparisons as well.

1 Q. And all those investigators, according to you, used
2 essentially the same methodology?

3 A. They did use very similar methods.

4 Q. So if the methodology was flawed, it wouldn't be
5 surprising if you came up with similar results; correct?

6 A. That is true.

7 Q. Now, go back to my question. You have not presented any
8 calculations showing that your population estimates were
9 accurate within an order of magnitude; correct?

10 A. I have not.

11 Q. Now, order of magnitude is a factor of ten; correct?

12 A. That is correct.

13 Q. So an order of magnitude of 100,000 population would be a
14 range somewhere between 10,000 and a million; correct?

15 A. That would be plus or minus one order of magnitude.

16 Q. So 10,001 would be within an order of magnitude of
17 100,000; correct?

18 A. Yes.

19 Q. And you believe today that your population estimates are
20 correct within an order of magnitude?

21 A. I think they are, at least in my estimation, they provide
22 information on that order of resolution, yes.

23 Q. And you believe that order of resolution is appropriate to
24 serve as a guide to whether Old and Middle River flows should
25 be set at minus 2,000 cfs or minus 4,000 cfs; correct?

1 A. That they would be one of the pieces of information that
2 would be taken into consideration in making that kind of a
3 risk assessment.

4 Q. In particular, you believe that your population estimates
5 are appropriate to use as a backstop to evaluate which end of
6 the action matrix range is most appropriate; correct?

7 MR. LEE: Objection. Vague.

8 THE COURT: Do you understand the question?

9 THE WITNESS: I do.

10 THE COURT: Overruled. You may answer.

11 THE WITNESS: I do feel that they would be useful as
12 context for deciding what level of protection, what level of
13 risk we're experiencing.

14 BY MR. WALL:

15 Q. Dr. Hanson, there has been some debate among scientists
16 over population estimates for delta smelt; correct?

17 A. Absolutely.

18 Q. And you mentioned that you participated in those debates;
19 correct?

20 A. I have.

21 Q. Now, "debate" does not mean agreement; correct?

22 A. "Debate" does not mean agreement.

23 Q. You mentioned that Dr. Wim Kimmerer had engaged in a
24 population estimate for a striped bass; is that correct?

25 A. That is correct.

1 Q. And you claim that your methodology was similar to his;
2 correct?

3 A. That when we've been discussing this, we've been
4 considering the various methods that are available. Dr.
5 Kimmerer uses a slightly different and sometimes radically
6 different methods than we have.

7 Q. And his -- he's applied his methodology to striped bass;
8 correct?

9 A. He did.

10 Q. Now, one of the assumptions you have to make in your
11 population calculations is the uniformity of the density of
12 delta smelt. In other words, the uniformity of distribution
13 of delta smelt; correct?

14 A. Correct.

15 Q. Isn't it true that striped bass are distributed much more
16 uniformly than delta smelt?

17 A. There certainly is -- to my recollection, there is
18 evidence to suggest that they are more broadly distributed and
19 probably more uniformly distributed, yes.

20 Q. You also mentioned that one of the participants in this
21 debate about population estimation methodologies was Dr. Bruce
22 Herbold; correct?

23 A. Correct.

24 Q. Dr. Herbold is a biologist in the employ of the US
25 Environmental Protection Agency; correct?

1 A. That is correct.

2 Q. And he's a very well respected delta smelt expert;
3 correct?

4 A. Bruce has been -- Dr. Herbold has been working on delta
5 smelt issues for a number of years and he is regarded.

6 Q. Now, he's a member of the Delta Smelt Working Group;
7 correct?

8 A. Yes, he is.

9 MR. WALL: May I approach, Your Honor?

10 THE COURT: You may.

11 (Plaintiffs' Exhibit 16 was marked for
12 identification.)

13 BY MR. WALL:

14 Q. Dr. Hanson, I have handed you what has been marked as
15 plaintiffs' Exhibit 16 for identification. Do you recognize
16 this document?

17 A. Yes, I do.

18 Q. It's an article by Dr. Bruce Herbold; correct?

19 A. It is.

20 Q. And the title is "Why We Don't Do Population Estimates for
21 Delta Smelt"; correct?

22 A. That is correct.

23 Q. If I could ask you to turn to the conclusion of this
24 document. And I'm going to read along and you tell me if I
25 have read this correctly. "Population estimates with immense

1 but unknown uncertainties about their averages and reliability
2 are of no practical application. They give a false sense of
3 confidence in our knowledge of the animal. Abundance indices
4 tend to remind us of how little we know."

5 Did I read that correctly?

6 A. You did read that correctly.

7 Q. You disagree with that statement; correct?

8 A. I disagree in part with that statement. And I think the
9 difference is that population estimates for delta smelt, you
10 know, we're looking more towards trying to identify tools that
11 can be used to give us a better perspective on the delta smelt
12 population in terms of its abundance, in terms of its risk.

13 And I participated in the discussions with Dr.
14 Herbold during this period, when we were debating about making
15 population estimates. We recognize the uncertainties and the
16 inherent limitations in the data. But there has been a
17 pressure to try and provide some insight in to how large a
18 population of delta smelt we have and is how great is their
19 risk.

20 And the approach that we've used, despite its
21 assumptions, has been an effort to try and provide some of
22 that context. In that regard, I disagree with Mr. Herbold.

23 The second thing is that we have efforts underway,
24 I've talked to Dr. Chotkowski, we've talked with the Fish &
25 Wildlife Service about ways that we can better improve our

1 understanding of the population abundance, how we can improve
2 our estimation procedures and provide better confidence and
3 better reliability in these estimates. And I think we should
4 continue to move forward with those efforts.

5 Q. Dr. Hanson, these potential improvements you're discussing
6 with Fish & Wildlife Service are not reflected in your
7 calculation of population estimates; correct?

8 A. Unfortunately they are not.

9 Q. Dr. Hanson, you also mentioned that Dr. Bennett had been a
10 participant in this debate about population estimates;
11 correct?

12 A. Correct.

13 Q. And you've stated that he produced a population estimate
14 that is comparable to yours; correct?

15 A. Dr. Bennett used methods comparable to mine, yes. His
16 population estimates were higher than mine.

17 Q. Dr. Bennett's 2005 monograph provides the only population
18 estimate for delta smelt that has been published in a peer
19 review journal; correct?

20 A. That is correct.

21 Q. And Dr. Bennett stated in his monograph that the
22 assumptions on which his population estimate were based were
23 invalid; correct?

24 A. Well, we know that many of the assumptions are not true.
25 And Dr. Bennett, as did I, acknowledges that. How we move

1 forward from there to improve those assumptions is part of the
2 future. But yes, he did say we know that our assumption about
3 uniformity of density and size selectivity of the gears is not
4 true.

5 Q. You haven't improved on Dr. Bennett's assumptions;
6 correct?

7 A. We have not.

8 Q. You did use a different set of regions than Dr. Bennett in
9 estimating population; correct?

10 A. We did.

11 Q. And for example, your region combined parts of three
12 different waterways into region A4; correct?

13 A. Correct.

14 Q. Do you know if Dr. Bennett did that?

15 A. I don't know specifically the regions that Dr. Bennett
16 used.

17 Q. Dr. Bennett estimated that in 1994, the population of
18 delta smelt was approximately 86,203 give or take; correct?

19 A. Yes.

20 Q. And the "give or take" that Dr. Bennett estimated was plus
21 or minus roughly 86,000 fish; correct?

22 A. Roughly 100 percent, yes.

23 Q. So his confidence interval was a population in 1994,
24 somewhere between zero delta smelt and perhaps 170,000 delta
25 smelt; correct?

1 A. Correct.

2 Q. At the time Dr. Bennett made his estimate for 1994 del ta
3 smelt population, that year, 1994, had the lowest fall
4 midwater trawl index on record; correct?

5 A. To that date, yes.

6 Q. And in 1994, the fall midwater trawl index was 102;
7 correct?

8 A. I believe so, yes.

9 Q. 2005, the same index was just 26; correct?

10 A. Yes.

11 Q. And in 2006, that index was 41; correct?

12 A. I believe so, yes.

13 Q. So this change in the fall midwater trawl index from 102,
14 when Dr. Bennett calculated population of about 86,000 del ta
15 smelt give or take, to a population -- or a trawl index of 26
16 or 41 in the last couple of years, would suggest that the
17 population of del ta smelt has fallen in the intervening 13
18 years; correct?

19 A. In my estimation, I don't think there's any question about
20 that.

21 Q. And so if Dr. Bennett's methodology were applied today to
22 the new indices, one would expect that he would calculate a
23 lower population than he calculated for 1994; correct?

24 A. Correct.

25 Q. Dr. Hanson, you take some comfort from the fact that Dr.

1 Bennett found a significant correlation between population
2 estimates and the Summer Townet Survey and fall mid water
3 trawl survey indices; correct?

4 MR. LEE: Objection, vague.

5 MR. WILKINSON: Mischaracterizes the testimony and is
6 argumentative.

7 THE COURT: It appears to be a compound question. It
8 has two subjects. Which do you want him to answer? Can you
9 break it down?

10 MR. WALL: Why don't I withdraw the question and
11 start over, Your Honor.

12 THE COURT: Thank you.

13 BY MR. WALL:

14 Q. Dr. Hanson, you testified that in relying on your
15 population estimate, you took some comfort from certain of the
16 findings of Dr. Bennett; correct?

17 A. Correct.

18 Q. And one of those findings from which you took comfort was
19 that there was a significant correlation between his
20 population estimates through time and changes in the Summer
21 Townet Survey indices through time; correct?

22 A. That was one of the factors I looked at. He also had
23 similar correlations between the 20 millimeter delta smelt
24 abundance, the townet survey and then between the fall
25 midwater trawl abundance and the fall surveys.

1 Q. Dr. Hanson, those indices are calculated based on
2 underlying catch data from the relevant survey; correct?

3 A. They are. Both the population estimate and the indices
4 use the same fundamental data.

5 Q. Right. So the fundamental data that you're talking about
6 there is the number of delta smelt caught in one of these
7 surveys; correct?

8 A. Correct. And the corresponding densities that would
9 calculate from that catch.

10 Q. So the number of delta smelt caught would be expected to
11 correlate to an index based on the number of delta smelt
12 caught; correct?

13 A. Correct.

14 Q. And you would also expect the number of delta smelt caught
15 in these surveys to correlate with the population estimate
16 based on the number of delta smelt caught; correct?

17 A. Correct. That was one of the things that Dr. Bennett was
18 testing.

19 Q. So it's predictable that when an index and a population
20 estimate are based on the same underlying data, that the index
21 and the population estimate are correlated; correct?

22 A. The fact that they were correlated here reflects, to a
23 large extent, that underlying population estimate, the
24 densities. So yes. Had it not proven to be true that they
25 are as highly correlated as Dr. Bennett found, that would have

1 been the subject of further debate and discussion. But the
2 fact that they are correlated, since you have the same
3 fundamental data driving both, is not particularly surprising.

4 Q. And it doesn't particularly establish that the population
5 estimate is accurate; does it?

6 A. It does not. It just simply says that it responds the way
7 you would expect it to respond.

8 Q. Dr. Hanson, could I ask you to look at State Water
9 Contractors Exhibit I. It says "Exhibit 4" on it and it has a
10 graph of estimated delta smelt population based on surveys 4
11 through 9 from the 20 millimeter survey 2007.

12 A. Thank you. That helped.

13 Q. Do you recall Mr. Wilkinson asking you whether this
14 graph -- whether you intended this graph to present a trend
15 in-Delta smelt abundance through time?

16 A. Yes, I do.

17 Q. And your answer was -- well, your answer is that you did
18 not intend it to -- for that purpose; correct?

19 A. We intended it not to be a depiction of the trend in-Delta
20 smelt abundance through time as reflected by various survey
21 years. It does reflect a trend in time within the 2007
22 period of the 20 millimeter surveys.

23 Q. Does it reflect an increase in abundance in-Delta smelt
24 during that time period?

25 A. It reflects an increase in our estimate of abundance based

1 on these surveys.

2 Q. So is it fair to say, Dr. Hanson, that your estimate of
3 abundance of delta smelt increased from something under 50,000
4 in the period June 4th through June 9th to something
5 over -- around 1.8 million in the period July 7th
6 through -- or July 2nd through July 7th?

7 A. Given the estimates and the data that we used, that was
8 the change in our estimates between those two periods, yes.

9 THE COURT: And you have the opinion that that's an
10 annual phenomena, that's the way the population in effect
11 progresses during the year?

12 THE WITNESS: Well, we know, Your Honor, that delta
13 smelt spawn during the late winter, early spring. And so what
14 you would expect is to have those eggs hatching progressively
15 over the period say in March and into early April. With those
16 larvae then becoming part of the planktonic population in the
17 spring. This reflects, I think, a couple of different factors
18 that are not separable. One is the change in the population
19 abundance itself as these larvae become, you know, hatching
20 and coming into the Delta.

21 The second, and the compounding factor is that during
22 this time period, the larvae are growing and therefore there's
23 a change in the efficiency of the net that we're using.

24 THE COURT: And until they become, in effect,
25 detectable by size, they're not counted?

1 THE WITNESS: They are not counted.

2 BY MR. WALL:

3 Q. But you wouldn't use this graph to suggest that there had
4 been a sudden increase in abundance of delta smelt; would you?

5 A. All we can say is that there was a sudden increase in our
6 population estimate. We don't know whether that reflects a
7 change in the real abundance of delta smelt, a change in the
8 selectivity of the net for reporting the occurrence of delta
9 smelt. Very likely a combination of factors.

10 But we wouldn't use this information to suggest that
11 the delta smelt population as reflected in the 2007 data is
12 higher, lower, in a positive trend or not when compared to
13 previous years.

14 Q. Dr. Hanson, do you have in front of you, or could you
15 find, please, State Water Contractor Exhibit F. I believe
16 it's your declaration of July 23rd in this case.

17 A. Yes.

18 Q. If I could ask you to turn to page four, paragraph 11.
19 And read along with me. I'm going to read your declaration of
20 July 23rd. And tell me if I read this accurately. "The
21 receipt of the most recent" -- sorry, does the Court --

22 THE COURT: Page and line?

23 MR. WALL: This is page 4 of State Water Contractor
24 F. Paragraph 11 at the bottom of the page.

25 THE COURT: Thank you. Proceed.

1 BY MR. WALL:

2 Q. Tell me if I read this declaration of yours accurately.

3 "The receipt of the most recent mid-June through early July 20
4 millimeter survey data has substantially increased the
5 estimate of the current population of delta smelt. A
6 population estimate based on pre-June/July data would have
7 been extremely low (see Exhibit 4) and would have increased
8 the vulnerability of the delta smelt to significant impacts
9 associated with various sources of mortality. With the
10 increase in-Delta smelt abundance observed during late June
11 and early July, it appears that the 2007 delta smelt
12 population has higher abundance than earlier expected. This
13 suggests that with higher population abundance, the 2007 delta
14 smelt cohort will be more resistant and resilient to various
15 factors affecting population dynamics, and that through the
16 implementation of various protective measures to reduce and
17 avoid significant mortality during the remainder of the
18 summer, fall and winter, an increased abundance of adult delta
19 smelt would be expected in the spawning populations during the
20 winter and early spring in 2008."

21 Did I read that correctly?

22 A. Yes, you did.

23 Q. Now, could I ask you to look at State Water

24 Contractor -- oh, I'm sorry, there's just one more part in
25 that. If we could -- let's see -- look at your August

1 declaration, which I believe is State Water Contractor Exhibit
2 G. If I can direct your -- do you have that in front of you,
3 Dr. Hanson?

4 A. Yes, I do.

5 Q. Actually, let me -- I apologize for this, but I'm going to
6 have you look at a different exhibit first. It was State
7 Water Contractor J. It says "Exhibit 8" on it and has "survey
8 and date" on it. "Estimated population."

9 Now, do you have that in front of you?

10 A. I do.

11 Q. Do you recall Mr. Wilkinson asking you some questions
12 about this?

13 A. Yes, I do.

14 Q. And Mr. Wilkinson -- is it -- did you intend this -- if I
15 could ask you to focus in particular on the last survey result
16 for the 20 millimeter survey, which shows a population of 1.8
17 million.

18 A. Yes.

19 Q. And then the next bar there in a different color is for
20 the Summer Towner Survey.

21 A. That is correct.

22 Q. And you testified that you were not intending to suggest
23 that the difference between those two figures represented
24 simply mortality of the delta smelt; correct?

25 A. Correct. There's several factors that could account for

1 that difference.

2 Q. Those population estimates were based on surveys that were
3 one week apart; correct?

4 A. That is correct.

5 Q. And you would never have suggested that had all been
6 caused by mortality; correct?

7 A. I don't believe it was caused by mortality in total.

8 Q. Now, if I could turn your attention back to your August
9 13th declaration, which is State Water Contractor G, and ask
10 you to turn to page 16.

11 And if you see there, there's a question printed in
12 bold face that says "Court question number 6: Best current
13 estimate of the entire delta smelt population abundance." Do
14 you see that?

15 A. Yes, I do.

16 Q. I'm just going to read that paragraph and ask you to read
17 along and let me know if I read it correctly.

18 "As discussed above the best available population
19 abundance estimates for juvenile delta smelt are those derived
20 from the surveys eight and nine of the CDFG 20 millimeter
21 delta smelt surveys, and the first three 2007 Summer Towner
22 Surveys. The early, juvenile delta smelt population abundance
23 estimate that I developed using density data from the survey
24 nine CDFG 20 millimeter survey was approximately 1.8 million
25 delta smelt. The population estimate that I developed based

1 upon the latest CDFG Summer Towner Survey conducted between
2 July 9 and 14th, 2007 was 680,000 juvenile delta smelt.
3 Results of the 2007 delta smelt population estimates
4 calculated from the CDFG 20 millimeter surveys and Summer
5 Towner Survey three are shown in Exhibit 8."

6 That's State Water Contractor J; correct?

7 A. That is correct.

8 Q. Continuing, "As discussed above, the decline in-Delta
9 smelt abundance during the summer is not unexpected given the
10 mortality that occurs during the early lifestages of the
11 species such as delta smelt." Is that correct?

12 A. That is correct.

13 Q. Now, you wouldn't -- let me withdraw that.

14 You would not use your own population estimates to
15 decide whether to delist the delta smelt; correct?

16 A. Absolutely not.

17 Q. The question of whether to delist is a question of whether
18 the population of delta smelt has recovered; correct?

19 A. That is correct.

20 Q. So you would not use your population estimates to decide
21 whether the population has recovered; correct?

22 A. No. And I don't believe these estimates come anywhere
23 near suggesting that it has.

24 Q. You wouldn't use them to determine whether a particular
25 project affected the Delta smelt's prospects for recovery;

1 correct?

2 A. I would use them to evaluate the potential magnitude of an
3 impact of a project, in this particular instance over a short
4 period of time. If we had started this year with a very low
5 delta smelt population, let's assume 100,000 fish or less,
6 that would have changed my opinion about the prospects of
7 delta smelt surviving through the year, potential magnitude of
8 various impacts. And those would have all had a bearing on a
9 decision about the prospects for recovery.

10 Q. Dr. Hanson, Dr. Bennett's population estimate allowed that
11 population of delta smelt in 1994 might have been as low as
12 zero; correct?

13 A. Could have been. The fact that it was driven by survey
14 data that showed it was not zero suggests that it was higher
15 than that.

16 Q. But we don't know the lower bound of the population
17 estimate for delta smelt; correct?

18 A. We do not.

19 Q. And your population estimate doesn't give you any
20 confidence that the population is or was 1.8 million fish in
21 July; correct?

22 A. That was simply the estimate that we derived.

23 Q. And you don't actually have any confidence that it was
24 100,000 fish then; do you?

25 A. From a mathematical and statistical perspective, no.

1 Q. But you would compare those uncertain population estimates
2 to salvage in determining whether export operations posed a
3 risk of jeopardy or adverse modification to the delta smelt;
4 correct?

5 A. I would use those as one of the factors in that decision.
6 In the absence of that, you have no context for determining
7 whether 100 or 500 delta smelt in the salvage is a large
8 percentage of the potential population or a very small
9 percentage.

10 Q. Would you say that relying on a population estimate
11 that -- for which you've not calculated confidence intervals
12 gives a false sense of confidence?

13 A. As scientists, we're all concerned that the information
14 that we're presenting could be used, in some cases, in
15 inappropriate ways. And the fact that individuals could use
16 this information to develop a false sense of confidence, I
17 think, is a fear and a risk.

18 Q. And, in fact, you have used this information to compare
19 uncertain population estimates to known underestimates of
20 project take of delta smelt; correct?

21 A. I have. To provide a context for that.

22 Q. Dr. Hanson, you've prepared a remedy proposal in this
23 case; correct?

24 A. Correct.

25 Q. Your tier one remedy proposal is to provide net westerly

1 flows on the San Joaquin River through the winter and spring;
2 correct?

3 A. That is correct.

4 Q. Now, this approach hasn't been field tested to determine
5 whether it will effectively reduce delta smelt entrainment;
6 correct?

7 A. It has not been tested.

8 Q. You have hypothesized that the geographic distribution of
9 delta smelt would primarily occur in the lower San Joaquin
10 River, Suisun Bay, if net westerly flows were maintained in
11 the lower San Joaquin River during the winter and spring;
12 correct?

13 A. I have hypothesized, not that they would be in the lower
14 San Joaquin River, but rather that they would be in the lower
15 Sacramento River and Suisun Bay.

16 Q. Let me rephrase the question then.

17 You have hypothesized that the geographic
18 distribution of delta smelt would primarily occur in the lower
19 Sacramento Bay and Suisun Bay if net westerly flows were
20 maintained in the lower San Joaquin River during winter and
21 spring?

22 A. That is correct.

23 Q. But that hypothesis has not been tested; correct?

24 A. That hypothesis has not been field tested.

25 Q. Now, the purpose of your tier one proposal would be to

1 protect various lifestages of delta smelt; correct?

2 A. Correct.

3 Q. Those lifestages would include sub-adults?

4 A. Yes.

5 Q. And adults?

6 A. Yes.

7 Q. And larval?

8 A. Yes.

9 Q. And early juvenile delta smelt?

10 A. Correct.

11 Q. And the only modeling to which you point in support of
12 this tier one proposal is Particle Tracking Model; correct?

13 A. That is correct.

14 Q. Now, am I correct that particle tracking modeling looks at
15 what would happen to a neutrally buoyant particle that is just
16 let loose in the stream of water?

17 A. That is correct.

18 Q. And the modeling that you used, the particle tracking
19 modeling that you relied on in developing your tier one
20 proposal, was conducted only for the months of December and
21 January; correct?

22 A. No. The particle tracking modeling that was done was
23 conducted from December through June.

24 Q. Dr. Hanson, the particle tracking modeling that you relied
25 on was conducted by or under the supervision of somebody named

1 Armin Munevar; correct?

2 A. Armin produced some of the results. Allison Dvorak
3 produced some of the results. Paul Hutton from the
4 Metropolitan Water District also was producing results on the
5 Particle Tracking Model.

6 Q. Let me direct your attention to your July 23rd
7 declaration. State Water Contractor Exhibit F at page 8. If
8 I could ask you to look at line 6 on. I'm just going to read
9 from your declaration, let me know if I read this accurately.
10 "The Particle Tracking Model is considered by" -- I'm sorry.
11 I have the wrong lines there. Lines four through six.

12 "The Particle Tracking Model simulates the water
13 transport of neutrally buoyant particles through the Delta.
14 The technical details of the model are beyond my expertise but
15 are described in the accompanying declaration of Armin
16 Munevar." Correct?

17 A. That is correct.

18 Q. And that's the modeling you relied on in developing your
19 tier one proposal; correct?

20 A. We used the Particle Tracking Model. The way the Particle
21 Tracking Model works is that you can select various locations
22 within the Delta for particle insertion. You can also select
23 various time periods for particle insertion.

24 And the structure of the analyses that I had laid out
25 with Armin had five different locations where particles were

1 inserted at, I believe, four different monthly time periods.

2 Q. Dr. Hanson, the modeling on which you relied is the
3 modeling that's set out in the declaration of Armin Munevar;
4 correct?

5 MR. WILKINSON: Asked and answered. He explained
6 there's several people whose modeling he relied on.

7 THE COURT: This is a stand alone question. He has
8 confirmed that Mr. Munevar was one of the people who worked on
9 this. Do you need to go over that again?

10 MR. WALL: Well, Your Honor, I'm just trying to
11 understand if there was modeling that is not described in the
12 declaration of Armin Munevar.

13 THE COURT: Why don't you ask that directly.

14 BY MR. WALL:

15 Q. Mr. Hanson --

16 THE COURT: The objection is sustained.

17 BY MR. WALL:

18 Q. -- the modeling that you relied on is described in the
19 declaration of Armin Munevar; correct?

20 A. The -- and I haven't gone through Armin's declaration in
21 detail. The model description and the protocols and
22 assumptions, I believe, were laid out in that declaration. We
23 relied on a whole series of iterations of the Particle
24 Tracking Model. And so I'm not sure which specific results
25 are presented in that declaration.

1 Q. The declaration of yours, from which we've been reading,
2 is dated July 23rd; correct?

3 A. Correct.

4 MR. WALL: Your Honor, may I approach?

5 THE COURT: You may.

6 (Plaintiffs' Exhibit 17 was marked for
7 identification.)

8 BY MR. WALL:

9 Q. Now, Dr. Hanson, the technical details of this particle
10 tracking method are beyond your expertise; correct?

11 A. That is true.

12 Q. Could you -- I've handed you what has been marked as
13 plaintiffs' Exhibit 16 for identification. Could you tell us
14 what that is?

15 A. This is the declaration of Armin Munevar in these
16 proceedings.

17 MR. WILKINSON: Excuse me, I don't think the exhibit
18 number is correct. I have as Exhibit 16 the paper by Bruce
19 Herbold.

20 THE COURT: I have it as plaintiffs' 17.

21 MR. WALL: I'm sorry, Your Honor. We'll refer to it
22 as Plaintiffs' 17.

23 THE COURT: Thank you.

24 BY MR. WALL:

25 Q. This is the declaration of Armin Munevar; correct?

1 A. That is correct.

2 Q. It's the declaration described in your own declaration of
3 July 23rd; correct?

4 A. That is -- describes how this modeling was done.

5 Q. And the date of this declaration of Armin Munevar is July
6 20th, 2007?

7 A. That is correct.

8 Q. If I could ask you to turn to paragraph five. And I'm
9 just going to read the first sentence of paragraph five. And
10 let me know if I've got it correct.

11 "CH2M HILL staff ran two DSM2 model simulations for
12 the historical period of December 2001 through January 2002 to
13 evaluate the effects of proposed operational changes."

14 Did I get that correct?

15 A. You did.

16 Q. The modeling they used as the basis for your tier one
17 proposals was conducted for only a single hydrologic year;
18 correct?

19 A. Correct.

20 Q. And only for two months during that year; correct?

21 A. Based on the information presented in paragraph five, that
22 is true.

23 Q. That modeling was not conducted under a range of
24 hydrologic conditions; correct?

25 A. The modeling reported by Armin here doesn't appear to be,

1 no.

2 Q. If I could ask you to turn to page four of this exhibit,
3 Plaintiffs' 17. And I'm going to read you the second to the
4 last paragraph. It's right after paragraph nine.
5 Particularly I'm going to read the last sentence of that
6 paragraph. Let me know if I got it correct.

7 "However, this operation and the associated export
8 reductions were not evaluated for a wider range of hydrologic
9 conditions or different particle insertion locations."

10 Correct?

11 A. That is correct.

12 Q. Now, the particle tracking modeling on which you relied in
13 developing your tier one proposal assumed that the Delta
14 cross-channel gates were open; correct?

15 A. We assumed that the Delta cross-channel gates would be
16 open until February 1. And the Delta cross-channel gates
17 would then be closed from February 1 through May 20th.

18 Q. Well, Dr. Hanson, there was no modeling for February 1
19 through May 20th; correct?

20 A. Not reported in Armin's declaration, no.

21 Q. And you relied on this modeling to propose your tier one
22 approach for the entirety of the winter and spring; correct?

23 A. This was one of the pieces of information that we used,
24 yes.

25 THE COURT: Tell you what we're going to do. We're

1 going to take ten minutes now on the hour for the reporter
2 since it's getting so late in the day and we'll go one-half
3 hour more after we come back at 25 minutes to five. So we're
4 in recess until 25 minutes of five.

5 (Recess.)

6 THE COURT: Please be seated. We're back on the
7 record in NRDC versus Kempthorne. Resuming Dr. Hanson's
8 testimony. Mr. Wall.

9 MR. WALL: Thank you, Your Honor.

10 Q. Dr. Hanson, your tier one proposal was designed to protect
11 sub-adult and adult juvenile smelt?

12 A. It is intended to provide conditions that would be more
13 conducive to keeping sub-adult and adult delta smelt
14 away from the area of risk.

15 Q. Particle tracking modeling does not reliably predict the
16 movement of sub-adult or adult delta smelt; does it?

17 A. Particle tracking modeling does not reliably provide
18 information on the movement of sub-adult or adult delta smelt
19 that have volitional behavior. One of the things, though,
20 that we found through the work of Dave Fullerton is that there
21 appears to be an association between sub-adult and adult delta
22 smelt and turbidity and the Particle Tracking Model can be
23 used to look at the potential effects of hydrologic conditions
24 on turbidity in terms of the movement of material from, say,
25 the Sacramento River into the interior Delta.

1 Q. Dr. Hanson, increasing flows on the San Joaquin River
2 would tend to increase turbidity on the San Joaquin River;
3 correct?

4 A. To the extent that they're driven by storm water runoff,
5 that is true. To the extent they're driven by reservoir
6 releases, less true.

7 Q. But still true?

8 A. But still true.

9 Q. And the San Joaquin River is more turbid than the San
10 Joaquin River; correct?

11 A. San Joaquin River is typically more turbid than the
12 Sacramento River.

13 Q. And delta smelt prefer a more turbid environment; correct?

14 A. That is what's starting to show up from some of these
15 analyses.

16 Q. So increasing flows on the San Joaquin River would make
17 that a more preferable environment for the delta smelt;
18 correct?

19 A. There is a potential for that, yes.

20 Q. They tend to attract delta smelt towards the San Joaquin
21 River; correct?

22 A. There is a potential for that, yes.

23 Q. Getting back to -- that would be in the zone of influence
24 of the pumps; correct?

25 A. That would be in the area of the lower San Joaquin River

1 near the confluence with Old and Middle Rivers and that would
2 be within the area of the zone of influence.

3 Q. Getting back to the particle tracking method and its use
4 with respect to sub-adult and adult smelt. Let me direct your
5 attention to State Water Contractor Exhibit F. Or it's your
6 July 23rd declaration.

7 A. All right.

8 Q. Let me ask you to turn to page eight. I'm going to read
9 you a couple of sentences and I'd like if you could just read
10 along with me and let me know if I get them correct.

11 "The Particle Tracking Model is considered by
12 biologists and other experts in the field to be a reliable
13 method for predicting and analyzing the movement and fate of
14 delta smelt larvae in the Delta under different hydrologic
15 conditions."

16 Got that right so far?

17 A. Yes.

18 Q. "Results of these particle tracking modeling exercise
19 indicate that, by maintaining a positive net westerly flow of
20 water within the Lower San Joaquin River through regulation of
21 combination of flow through the Delta cross-channel, San
22 Joaquin River flow, and SWP and CVP exports during the period
23 extending from approximately December 1 through June 30th, the
24 vulnerability of sub-adult, adult, larval, and early juvenile
25 life stages of delta smelt to project exports effects can be

1 substantially reduced or eliminated." Correct?

2 A. That is correct.

3 Q. So it's your opinion that this Particle Tracking Modeling
4 on which you relied is sufficient to determine that net
5 westerly flows on the San Joaquin River, lower part of the San
6 Joaquin River would protect sub-adult and adult delta smelt.

7 A. To the extent that through my discussions with Dave
8 Fullerton and others, it appeared that by providing these
9 positive flows, we would be reducing the likelihood that
10 sub-adult and adult delta smelt may be moving into that zone
11 of influence, that was part of the rationale that we used for
12 evaluating those wintertime actions.

13 Q. Dr. Hanson, sub-adult and adult delta smelt are engaging
14 in a volitional movement upstream; correct?

15 A. They are moving upstream at that time of their life
16 history.

17 Q. Against the current; correct?

18 A. They are moving upstream against the current.

19 Q. And they prefer more turbid environments; correct?

20 A. Well, not necessarily. I mean, if that were to be the
21 case -- I mean, they do -- there's a turbidity relationship
22 here, but for example, today we have greater concentration of
23 sub-adult delta smelt in the lower Sacramento River near
24 Decker Island. Whether that is in response to food
25 accumulation in this area or a combination of turbidity and

1 other factors, I don't think we really understand.

2 Q. But all other things equal, the delta smelt would prefer a
3 more turbid environment; correct?

4 MR. WILKINSON: Asked and answered.

5 THE WITNESS: The data we have suggests that all
6 other things being equal, they prefer a more turbid
7 environment.

8 BY MR. WALL:

9 Q. And increasing flows on the Lower San Joaquin River would
10 make that a more turbid environment; correct?

11 A. There would be a potential for that, but whether or not
12 that would occur and how delta smelt would respond to that I
13 think is still one of the issues of concern. That's one of
14 the reasons we implemented tier two.

15 Q. Dr. Hanson, your proposal to attempt to protect delta
16 smelt through net westerly flows on the Lower San Joaquin
17 River has not been endorsed by any state or federal agency;
18 correct?

19 A. Correct.

20 Q. It was not recommended by the Delta Smelt Working Group?

21 A. Not to my knowledge.

22 Q. It's not been recommended by the Fish & Wildlife Service?

23 A. It was not included in their matrix, no.

24 Q. You were here for Ms. Goude's testimony?

25 A. I was.

1 Q. And you understand that she testified that there was no
2 demonstrated connection between net westerly flows on the San
3 Joaquin River and delta smelt survival or abundance?

4 A. I remember Dr. -- or Ms. Goude making that declaration,
5 yes.

6 Q. And your tier one proposal has not been recommended by the
7 California Department of Fish & Game?

8 A. They have not.

9 Q. And Jerry Johns with the Department of Water Resources,
10 he's a biologist there; correct?

11 A. He is.

12 Q. And he has testified through his declaration -- have you
13 read his declaration?

14 A. I have read only portions of his first declaration. I
15 have not read his second.

16 Q. You recall that -- you had conversations with Mr. Johns
17 about your tier one proposal; correct?

18 A. Yes, I did.

19 Q. And you understand that it's his opinion that tier one,
20 your tier one proposal is too experimental to recommend it as
21 part of any interim remedy proceeding in this case?

22 A. Mr. Johns and I had that discussion and Mr. Johns
23 expressed that opinion. We talked about the need for
24 additional analyses and that there was some additional
25 information that he had requested and would like to see, such

1 as the results of the Particle Tracking Model. But yes, that
2 is a fair reflection of the discussion I had with Mr. Johns.

3 Q. To your knowledge, Mr. Johns' view that your tier one
4 proposal is too experimental to be implemented at this time
5 hasn't changed; has it?

6 A. I don't believe I have any information to suggest that
7 that has changed, no.

8 Q. Let me turn to your tier two proposal. Do I understand
9 correctly that your tier two proposal would target Old and
10 Middle River flows that were negative between minus 1,000 cfs
11 and minus 6,000 cfs?

12 A. That is correct.

13 Q. So the ceiling on negative flows would be minus 6,000 cfs;
14 correct?

15 A. Correct.

16 Q. And under your proposal, within that range the Fish &
17 Wildlife Service would have discretion to set the particular
18 flows; is that correct?

19 A. That is my understanding.

20 Q. Your proposal doesn't set out any specific criteria for
21 setting the flows within that range; correct?

22 A. It does not.

23 Q. So the Fish & Wildlife Service could set the flows at
24 minus 6,000 cfs under a variety of different conditions;
25 correct?

1 A. That is correct. And one of the things that we intended
2 was to potentially have an opportunity to sit down with the
3 Fish & Wildlife Service and Fish & Game and discuss the
4 triggers and how those would be developed and how they would
5 be implemented. But it would be the service's decision.

6 Q. But those details are not set out in your proposal?

7 A. Those details are not set out in the proposal.

8 Q. Now, the purpose of this ceiling on negative flows under
9 your proposal is to prevent or reduce entrainment of delta
10 smelt by the CVP and SWP export facilities; correct?

11 A. Correct.

12 Q. And entrainment depends in part on the magnitude of
13 negative flows on the Old and Middle River; correct?

14 A. It depends on a variety of things. The magnitude of flow
15 on Old and Middle River is certainly one of the important --
16 as is the geographic distribution of delta smelt, other
17 hydrologic influences within the Delta, but yes, Old and
18 Middle River flows are an important factor.

19 Q. And focusing on a second factor you just mentioned, the
20 geographic distribution of delta smelt. Would it be fair to
21 say that entrainment by the export facilities depends in part
22 on when delta smelt are passing through the zone of influence
23 of the export facilities?

24 A. Yes, it does.

25 Q. And in most years, the delta smelt salvage at these

1 facilities occurs as one continuous event; correct?

2 A. Well, there is variability --

3 MR. WILKINSON: Objection.

4 MR. LEE: Objection on vagueness grounds.

5 THE COURT: Do you understand the question?

6 THE WITNESS: I believe that I do.

7 THE COURT: Overruled. You may answer.

8 THE WITNESS: There is variability in the occurrence
9 of delta smelt within the salvage. But there are general
10 seasonal periods when delta smelt salvage is typically the
11 highest.

12 BY MR. WALL:

13 Q. And there's one period in the winter when salvage tends to
14 be high; correct?

15 A. There is one period in the winter generally and there's
16 one period in the spring.

17 Q. And in most years, winter salvage of delta smelt occurs as
18 one continuous event; correct?

19 MR. LEE: Objection, Your Honor, the term "one
20 continuous event" is not defined.

21 THE COURT: Do you understand the question?

22 THE WITNESS: Not completely.

23 THE COURT: All right. The objection is sustained.

24 You may rephrase.

25 BY MR. WALL:

1 Q. Dr. Hanson, do you have in front of you a copy of Dr.
2 Swanson's declaration of August 13th, 2007? This would be
3 Plaintiffs' Exhibit 4 in evidence.

4 A. Yes, I do.

5 Q. I'd like to ask you to turn to the exhibits of that
6 declaration. If you go towards the back, you'll see that
7 they're numbered up to page 135. Do you see that?

8 A. I do see that.

9 Q. And if I could ask you to turn to page 81.

10 A. Delta Smelt Working Group Conference Call Minutes,
11 November 28?

12 Q. Yeah, November 28, 2005.

13 A. Yes.

14 Q. This is page 81 of 135 of the exhibits, Your Honor.

15 THE COURT: I have it.

16 BY MR. WALL:

17 Q. If I could ask you to look at the last paragraph on that
18 page and look at the second sentence. I'm going to read that
19 and let me know if I read it correctly. "In most years,
20 winter salvage occurs as one continuous event spread over
21 time." Did I get that right?

22 A. Yes, you did.

23 Q. You understand what that means?

24 A. What I interpret that to mean is that there would be a
25 uniform distribution, basically you have the same number of

1 delta smelt showing up in the salvage each day during the
2 winter period.

3 Q. So you have a time period during the winter when delta
4 smelt were passing through the zone of influence of the pumps
5 and salvage occurred as a result of that passage; correct?

6 A. That would be one biological pathway that this could
7 occur, yes.

8 Q. So the timing of this winter salvage event would depend on
9 when delta smelt were in the area of the pumps; correct?

10 A. That is correct.

11 Q. And sometimes that might occur in January?

12 A. That could occur in January or in February.

13 Q. Or March?

14 A. Could be in March.

15 Q. Or even late December?

16 A. Sometimes, yes.

17 Q. Or it could be spread across more than one month; correct?

18 A. Could be spread across more than one month, yes.

19 Q. Dr. Hanson, could I ask you to look at State Water
20 Contractors Exhibit O, which is the graph that says "Old and
21 Middle River flow." It's a bar graph.

22 A. I have it.

23 Q. Mr. Wilkinson asked you some questions about that;
24 correct?

25 A. Yes, he did.

1 Q. This is a bar graph that shows flows on the Old and Middle
2 River in 1996; correct?

3 A. In January and February of 1996, yes.

4 Q. And he asked you some questions about this relative to the
5 salvage of the delta smelt in those two months. Correct?

6 A. Yes, he did.

7 Q. And in particular, he asked you about a data point on Dr.
8 Peter Smith's relationship that showed a high salvage at a
9 flow of, what was it, about 4,000 cfs.

10 A. 4,000, 3900 minus cfs, yes.

11 Q. You indicated that that data point might have been
12 misrepresentative because it reflected both January and
13 February; correct?

14 A. That was one of my concerns, yes.

15 Q. And the average flow in January and February would be much
16 lower than the -- or much less negative than the negative flow
17 in January alone; correct?

18 A. That is correct.

19 Q. Now, what would you consider a high level of take of delta
20 smelt for one of these months?

21 A. During that time period, we have adults that are moving
22 upstream. Take in the hundreds would certainly, I think, be
23 high. Take in the thousands would be very high.

24 Q. Dr. Hanson, if you were to approximate the average flow
25 for the month of February on State Water Contract Exhibit O,

1 what would you say that was?

2 A. I would say it would be close to zero, maybe slightly
3 negative.

4 Q. But during a portion of that month, it was negative;
5 correct?

6 A. During a portion of that time, it was approaching or
7 slightly exceeding negative 2,000.

8 Q. And based on your understanding of negative flows on the
9 Old and Middle River, one would not expect to have high levels
10 of salvage at those flows; correct?

11 A. We would typically not expect to have high levels of
12 salvage at those flows.

13 Q. Now, Dr. Hanson, your declaration includes a flow
14 relationship that was developed by DWR for the months of
15 January and February; correct?

16 A. Correct.

17 Q. And your declaration only attaches the flow relationship
18 for January; correct?

19 A. Correct. I used both January and February, but we
20 attached January.

21 Q. And you were only asked today in this courtroom about
22 January; correct?

23 A. I was.

24 MR. WALL: May I approach, Your Honor?

25 THE COURT: You may.

1 (Plaintiffs' Exhibit 18 was marked for
2 identification.)

3 BY MR. WALL:

4 Q. Dr. Hanson, I have handed you what's been marked for
5 identification as plaintiffs' Exhibit 18. This is the
6 declaration of Jerry Johns filed on July 9th, 2007; correct?

7 A. Correct.

8 Q. If I could ask you to turn to Exhibit B.

9 THE COURT: Does it have a page designation?

10 MR. WALL: Up at the top, Your Honor, it would be
11 page 12 of 21, I believe.

12 THE COURT: The Exhibit that I have is 22 pages long
13 and page 12 of 22 has no reference to an exhibit.

14 MR. WALL: I'm sorry, Your Honor, do you have the
15 declaration of Jerry Johns filed 7-9-2007?

16 THE COURT: I do. It's marked Exhibit 18 for
17 identification. It consists of, looking at the document 399-2
18 and it purports to have 21 of 21 pages.

19 MR. WALL: Your Honor, I'm directing the witness'
20 attention to page 12 of 21.

21 THE COURT: Yes. I'm there.

22 BY MR. WALL:

23 Q. Dr. Hanson, this is the exhibit which you attached to your
24 declaration; correct?

25 A. This is the exhibit, yes.

1 Q. And it shows a flow versus salvage relationship for
2 January in certain years; correct?

3 A. It does, yes.

4 Q. Let me ask you to turn to Exhibit C, which is the next
5 page of Mr. Johns declaration.

6 Now, this represents the DWR analysis for February.
7 Negative flows on the Old and Middle River versus delta smelt
8 salvage; correct?

9 A. Correct.

10 Q. And could you tell us approximately what the level of
11 salvage was in 1996? In February.

12 A. In February, the salvage looks to be about 1300 or so,
13 1400 delta smelt at a combined Old and Middle River flow of
14 just above zero, slightly positive.

15 Q. Now, referring back to State Water Contractors Exhibit O,
16 which is on the Elmo. Those 13 or 1400 delta smelt would have
17 been taken during a time period in which you said the average
18 negative flows during the period of negative flows was about
19 minus 2,000 cfs; correct?

20 A. Roughly so, yes.

21 Q. So at a negative flow of about minus 2,000 cfs for a part
22 of the month, we saw a salvage of about 1300 or 1400 delta
23 smelt; correct?

24 A. Based on the 1996 data for February, that's what it was
25 reported, yes.

1 Q. And that salvage count is an underestimate of the total
2 take due to entrainment at the state and federal water project
3 export facilities; correct?

4 A. That salvage estimate would occur during the February time
5 period when delta smelt are sub-adults. So some of the issues
6 about underreporting fish less than 20 millimeters would not
7 apply at this time period. There is predation mortality that
8 I feel occurs within the forebay. There are lower efficiency
9 issues, most of which have not really been very well
10 documented for delta smelt.

11 So I would say that there are certainly opportunities
12 for this to be an underestimate. How much, we don't know.

13 Q. And that underestimated 1300, 1400 delta smelt is somewhat
14 in excess of the hundreds of delta smelt that you said would
15 represent a high level of salvage; correct?

16 A. That would be, you know, certainly in the realm of being
17 in the higher range. Not so much for 1996, but certainly
18 under the current population levels, that would be, in my
19 estimation, a very high level of salvage.

20 Q. Dr. Hanson, if I could turn your attention back to Exhibit

21 C. Actually if I could ask you to look at Exhibit -- this is
22 Plaintiffs' 18, the Johns declaration, Exhibit D, which is at
23 page 12 of 21 of plaintiffs' 18.

24 A. Yes.

25 Q. And you testified earlier today that the R-squared value

1 was quite high, it was .88 or so for that exhibit; correct?

2 A. For the January analysis, that's true.

3 Q. And you said that was higher than the R-squared value
4 reported by Dr. Smith of about .64; correct?

5 A. I believe Dr. Smith's January/February combined linear
6 R-squared was .61.

7 Q. Dr. Hanson, the R-squared value for February, the table
8 that -- or the exhibit that you did not previously provide to
9 the Court, has a value of .299; correct?

10 A. Roughly .3, yes.

11 Q. If I could ask you to look at Exhibit D of Plaintiffs' 18,
12 page 12 of 21. If you could look at January, 2000. Is it
13 correct that it shows an average negative flow of about minus
14 7400 cfs?

15 MR. WILKINSON: Excuse me, Mr. Wall. I'm not sure.
16 You're looking at page 12 of?

17 MR. WALL: 12 of 21 of plaintiffs' 18. It says at
18 the top. This is Exhibit B, this is the figure that --

19 MR. WILKINSON: I've got it. Thank you.

20 THE COURT: These are average January, but I'm not
21 seeing days on the scale. It looks like we have flow and cfs
22 on the X axis and we have delta smelt salvage on the Y axis.
23 Is there a way to find a date on this exhibit?

24 MR. WALL: The -- Your Honor, the small circles have
25 a number in them.

1 THE COURT: I see. Those are dates?

2 BY MR. WALL:

3 Q. Dr. Hanson, is it your understanding that the numbers in
4 the small circle is the year?

5 A. It is the year.

6 THE COURT: Thank you.

7 BY MR. WALL:

8 Q. Am I correct that the salvage reflected in this for
9 January 2000 was about 800 delta smelt?

10 A. That's not how I would read the graph. I would read the
11 graph as saying for January of 2002, the reverse flow was
12 about negative 8,000 cfs. And the number of delta smelt
13 salvaged was about 5200.

14 Q. I'm sorry, if I could ask you to look at 2000.

15 THE COURT: 2000 is down there, it's -- if you go
16 over on the X axis in increments of thousands, count over
17 about almost 3,000.

18 THE WITNESS: Yes.

19 THE COURT: In terms of the salvage, it looks like
20 it's less than a thousand.

21 THE WITNESS: It would be less than a thousand. And
22 it would be a reverse flow somewhere in the order of about 74,
23 7500 cfs negative reverse flow.

24 BY MR. WALL:

25 Q. And your explanation for this data point is that flows of

1 negative 7400 cfs are not particularly harmful to delta smelt?

2 A. No. This would be above the range where we would start to
3 see an increase in-Delta smelt salvage as reverse flows become
4 more negative.

5 Q. Do you know if that salvage of, say, 800 delta smelt
6 during that month was spread out through the entirety of the
7 month?

8 A. I don't know.

9 Q. So you don't have any understanding of whether delta smelt
10 were just beginning to migrate into the area where they might
11 be entrained during late January?

12 A. I don't know.

13 (Plaintiffs' Exhibit 19 was marked for
14 identification.)

15 MR. WALL: Your Honor, may I approach?

16 THE COURT: You may.

17 BY MR. WALL:

18 Q. Dr. Hanson, if I could direct your attention to what has
19 been marked as Plaintiffs' Exhibit 19. I assume you've never
20 seen this document before?

21 A. I have not.

22 Q. Do you have an understanding of what it represents?

23 A. In general I do, yes.

24 Q. Could you describe your understanding.

25 A. What these graphs show are a frequency of occurrence of

1 delta smelt as a function of the date during the wintertime
2 period extending from December through March shown on the X
3 axis, the delta smelt take, the number of fish per day, on the
4 Y axis for periods from December 1999 through March 2000, a
5 separate graph for December 2000 through March 2001 and a
6 third graph for December 2001 through March 2002.

7 And then what appears underneath each of those
8 monthly designations, I'm assuming, is the average monthly
9 reverse flow in Old and Middle River during that time period.
10 Q. I'm going to ask you to assume that this figure is an
11 accurate representation of what you've just described.

12 MR. LEE: Your Honor, I'm going to object to
13 consideration of this document. We've had no foundation laid
14 as to what public records or sources it's been derived from.
15 No idea whether it's reliable.

16 THE COURT: Well, the witness recognizes it
17 generally. But it does seem to need more foundation.
18 Sustained.

19 MR. WALL: Your Honor, I am asking him to assume that
20 it is accurate. I will, during rebuttal testimony --

21 THE COURT: All right. You want to connect it up.

22 MR. WALL: -- in about three minutes.

23 THE COURT: Can you do that, Dr. Hanson? Just make
24 the --

25 THE WITNESS: Yes, Your Honor.

1 THE COURT: -- assumptions that the data here you can
2 refer to and interpret.

3 THE WITNESS: I can make that assumption.

4 MR. WALL: I will represent to the Court, as an
5 officer of the Court, that if we have three minutes of
6 rebuttal testimony, we'll be able to lay an adequate
7 foundation for this.

8 THE COURT: All right. Thank you.

9 BY MR. WALL:

10 Q. Dr. Hanson, is it fair to say that the salvage event for
11 winter 2000 began to really take off in late January of that
12 year?

13 A. In that year, yes.

14 Q. So just looking -- averaging take against negative flow
15 for January of 2000 might misrepresent the relationship
16 between flow and salvage for that month; correct?

17 A. I believe so, yes.

18 Q. It would have split the salvage event between two months;
19 correct?

20 A. It would have. It would have primarily put the salvage
21 event into February.

22 Q. And it would have suggested that, if you looked only at
23 January, flows of minus 7300 or 7391 cfs on Old and Middle
24 River weren't likely to cause that significant a take event;
25 correct?

1 A. Well, when you look at the 2,000 data by itself, you could
2 draw that conclusion. But when you look at the relationship
3 overall as reported in Exhibit B of Mr. Johns' declaration, it
4 suggests that there's a dramatic increase after you pass about
5 minus 6,000 cfs. Independent of what any one given year may
6 show. But you could draw that conclusion from this, yes.

7 Q. Might that dramatic increase of above 6,000 cfs negative
8 flow have something to do with the levels of negative flow at
9 the time when delta smelt are passing through the zone of
10 influence of the pumps?

11 A. Those two things co-occurring would certainly be a major
12 factor affecting the vulnerability of delta smelt. You need
13 to have the environmental conditions, in this example the
14 negative flow in Old and Middle River, coincident with the
15 time period that delta smelt are vulnerable and in the area of
16 potential influence.

17 THE COURT: All right. We've reached the time that
18 we had promised the reporter we weren't going to go past. So
19 let me ask the parties a rhetorical question.

20 We are approaching the time where we're going to
21 conclude this hearing and I don't think there's any question
22 parties should not be surprised to learn that there is going
23 to be a remedy that is going to be imposed by the Court. I do
24 not know whether the parties are interested in communicating
25 with each other and seeing if you can come up with something

1 you can all be proud of in terms of what is going to be lawful
2 and appropriate to address the issues that are before the
3 Court until the BiOp is reissued.

4 That I'm going to leave to you. But if you want any
5 predictability and any certainty and hope to have any control
6 over what may be pronounced, that is the only way that you'll
7 be able to do that.

8 So I'm going to leave it to you. I don't know how
9 entrenched the parties are and how firm your positions are.
10 But if you leave it to me, I will do it. As you know, I've
11 done it in every case that I've had to decide before. And
12 so -- but that may not be what any of you want.

13 Is there anything further before we recess?

14 MR. LEE: Your Honor, I would like to know from Mr.
15 Wall how much more he has of cross so we can prepare.

16 THE COURT: Yes.

17 MR. WALL: Your Honor, I'd be able to give a more
18 accurate estimate the first thing tomorrow morning when we
19 resume and I look over my notes.

20 THE COURT: All right.

21 MR. WALL: I do need to complete tier two and tier
22 three of Dr. Hanson's proposal.

23 THE COURT: Yes. And I can tell you this. Again,
24 I'm not prejudging anything, but it seems to me that Dr.
25 Hanson has been very helpful in, quite frankly, acknowledging

1 the limitations on the studies that have been done. There are
2 limitations in everybody's studies. There's great
3 uncertainty.

4 But there is one universal principle in this case
5 that cannot be contested by anybody and that is that no matter
6 how you evaluate it, no matter how you parse it, whatever he's
7 done with the numbers, the species is in a critical condition,
8 it's got to be addressed and the only question that there is
9 is how it's going to be addressed.

10 So if anybody is thinking that there's not going to
11 be a remedy, that you've shown that there's such uncertainty
12 that something is not going to happen, you need to have a
13 reality check now because that's where we are in this case.
14 Every expert has told us the same thing.

15 And I respect and thank Dr. Hanson for his candor
16 today. It is appreciated. And I want to say that to all the
17 experts. All the experts have been unusually helpful and I
18 think honest and forthright in their testimony.

19 Anything else, Mr. Wall?

20 MR. WALL: I just need to collect my papers and move
21 away.

22 THE COURT: Yes, you may do that. Plaintiffs' 16
23 through 19, while there was some foundation issues with some
24 of them -- there have been objections -- which are going to be
25 connected up. Had you moved any of those into evidence, Mr.

1 Wall?

2 MR. WALL: Your Honor --

3 THE COURT: Excuse me. Can everybody start at 8:30?

4 MS. POOLE: Yes, Your Honor.

5 MR. MAYSONETT: Yes, Your Honor.

6 THE COURT: All right. We're going to start at 8:30
7 a.m.

8 MR. WILKINSON: What time, Your Honor?

9 THE COURT: 8:30 a.m.

10 MR. WILKINSON: Thank you.

11 MR. WALL: Your Honor, we would like to move number
12 16 into evidence.

13 MR. LEE: Your Honor, we would object to number 16 in
14 evidence. The State of California would. We would think that
15 the foundation has not been laid. That Mr. Herbold's is a
16 learned treatise and even if it is a learned treatise, under
17 Rule 803 subsection 18, if admitted the statement may be read
18 into evidence but may not be received as an exhibit. This is
19 the apparent newsletter authored by Mr. Herbold entitled "Why
20 we don't do population estimates for delta smelt."

21 MR. WILKINSON: There's also a --

22 THE COURT: He said that --

23 MR. WILKINSON: I'm sorry, Your Honor.

24 THE COURT: Let me say, if I understand it, is this a
25 group that operates under the auspices of either the federal

1 or the state agency here? Either endorsed, supported or
2 sponsored by in any way and do they regularly, in the ordinary
3 course of their business and activities, utilize and rely on
4 the information that's produced in these working groups or
5 study groups, whatever they're called?

6 MR. WILKINSON: I don't know the answer to that, Your
7 Honor. But certainly as to the witness --

8 THE COURT: But does Mr. Lee? You're not a
9 governmental representative here, wouldn't expect you to.

10 MR. LEE: I'd have to consult with my client to see
11 if the IEP would fall into those categories.

12 THE COURT: If it does, then essentially it's
13 reliable hearsay information that the expert has referred to
14 and is able to testify about as he had. It's also being used
15 for impeaching effect. And so I will let you confirm that.
16 We'll take it up first thing in the morning.

17 MR. LEE: Your Honor, but under subsection 18, it may
18 be read into evidence, but may not be received as exhibits.
19 And I believe he's read it into evidence. But we're now at
20 the point whether the document should be received an exhibit,
21 and we would submit under 803 subsection 18 that it cannot be.

22 THE COURT: We'll let Mr. Wall respond.

23 MR. WALL: Your Honor, we're not seeking to have this
24 admitted solely as a learned treatise. There are two other
25 bases for this. This is, as you'll see from the website

1 printed at the top of the page, it's printed off of the IEP
2 State of California website. This is a state funded program
3 and it's published on their website.

4 The other basis is that Dr. Hanson has relied on and
5 cited to this document in his August 13th, 2007 declaration.
6 And specifically listed in his bibliography and cited as one
7 of the factors that one would consider in looking at the lack
8 of reliability for these estimates.

9 THE COURT: If any witness relies on and refers to a
10 document, no matter what it is, in formulating testimony and
11 preparing opinions, even though there may be technical
12 objections to it, it is admissible in evidence.

13 And so based on the representation of Mr. Wall -- and
14 I believe that my recollection is that Dr. Hanson did say that
15 those studies were ones that he looked at, that he had
16 considered and some of it he found useful and some of it not
17 useful.

18 THE WITNESS: That is correct, Your Honor. And I did
19 cite Dr. Herbold's --

20 THE COURT: Yes, you did.

21 MR. WILKINSON: Your Honor, is it being admitted for
22 the truth of the matter asserted or simply that this is --

23 THE COURT: No. It is being admitted as a state
24 sponsored study that the expert witness referred to --
25 referred to and essentially relied on that which he found

1 useful, other information that he didn't find useful and
2 therefore it is admitted as part of the basis of the opinion
3 that he expressed to explain it.

4 MR. WILKINSON: Thank you.

5 THE COURT: So 16 is received in evidence under those
6 conditions.

7 (Plaintiffs' Exhibit 16 was received.)

8 MR. WALL: Thank you, Your Honor. We would also ask
9 that plaintiffs' 18, Exhibits B and C be admitted into
10 evidence. Plaintiffs' 18 is the declaration of Jerry Johns.
11 And we're not asking that the entirety of it be admitted, but
12 Exhibits B and C are these figures that show relationship
13 between negative flow and salvage. And the witness indicated
14 that he has relied on and considered those in preparing his
15 testimony.

16 THE COURT: All right. Those are tantamount to party
17 admissions if they're used for that purpose, so I don't see
18 any -- we may not need the whole declaration. If you want to
19 redact or just put in those parts that you think are germane.
20 Or do you want the whole declaration?

21 MR. WALL: I just want Exhibits B and C.

22 THE COURT: All right.

23 MR. WALL: Not the exhibit.

24 THE COURT: That would be the most I think expedient.
25 Mr. Lee, do you agree?

1 MR. LEE: If plaintiffs want to have B & C admitted,
2 we of course have no objection to that.

3 THE COURT: All right. That will be admitted as
4 Exhibit 18. And we'll make it 18 in this case, 18.B and 18.C
5 and then put a decimal after the letter for however many pages
6 the sub-exhibits have. So it would be 18.B.1 in seriatim.

7 MR. WILKINSON: Your Honor, I guess the concern I
8 have with just the -- those two exhibits coming in and not the
9 declaration is that the declaration actually relies on those
10 and describes those exhibits. So there is some
11 interpretation.

12 THE COURT: Well, under Federal Rule of Evidence 103,
13 the rule of completeness, if you want the declaration, you can
14 move it in.

15 MR. WILKINSON: I'll move it in.

16 THE COURT: Any objection?

17 MR. WALL: Your Honor, he is -- has not been called
18 to testify and our understanding is --

19 THE COURT: You have the right to cross-examine on
20 anything in the declaration.

21 MR. WALL: Yeah, on that basis I would withdraw the
22 motion to introduce Exhibit B and C.

23 THE COURT: All right. I will reverse my ruling and
24 I will not receive Exhibit 18.B and C in evidence. Do you
25 withdraw your motion, Mr. Wilkinson or do you want the --

1 MR. WILKINSON: If Mr. Wall is withdrawing his
2 exhibit --

3 THE COURT: All right. Exhibit 18 remains marked for
4 identification.

5 MR. WALL: Thank you, Your Honor.

6 MR. WILKINSON: That's fine, Your Honor.

7 THE COURT: Hope you can keep track of that, Ms.
8 Courtroom deputy.

9 THE CLERK: I think I did.

10 THE COURT: Anything further?

11 MR. WALL: Nothing further, Your Honor.

12 THE COURT: All right. We are in recess until 8:30
13 a.m.

14 MR. MAYSONETT: Your Honor, I have one further
15 question.

16 Your Honor, Ms. Goude, our witness, has somehow
17 formulated the opinion the Court might require further
18 testimony from her or input from her on Friday. Was that the
19 Court's understanding.

20 THE COURT: I do have a vague recollection. I've
21 heard about 15 or 20 cases since her testimony in addition to
22 this one. And so what I vaguely remember is that if there
23 were additional questions for her or if she were going to be
24 asked about additional things, she would be available on
25 Friday. I do not remember specifically asking her to be here.

